

DEPARTMENT OF HEALTH AND HUMAN SERVICES
FOOD AND DRUG ADMINISTRATION
CENTER FOR DRUG EVALUATION AND RESEARCH

PERIPHERAL AND CENTRAL NERVOUS SYSTEM DRUGS
ADVISORY COMMITTEE

VOLUME II

Wednesday, March 14, 2001

8:00 a.m.

Holiday Inn Gaithersburg
Two Montgomery Village Avenue
Gaithersburg, Maryland

PARTICIPANTS

Claudia H. Kawas, M.D., Consultant and Acting Chair
Sandra Titus, Ph.D., Executive Secretary

MEMBERS

LaRoy P. Penix, M.D.
Gerald Van Belle, Ph.D.

CONSULTANTS

Michael Grundman, M.D., M.P.H.
Gustavo Roman, M.D.
Jerry S. Wolinsky, M.D.
Howard L. Weiner, M.D.

PCNS INVITED SPEAKERS

Helena Chui, M.D.
Steven DeKosky, M.D.
Ranjan Duara, M.D.
Steven Ferris, M.D.
Mary Ganguli, M.D.
Philip Gorelick, M.D.
Ronald Petersen, M.D., Ph.D.

FDA

Russell Katz, M.D.
Ranjit Mani, M.D.

PUBLIC SPEAKERS

Ray Pratt, M.D.
Andrew Satlin, M.D.
Sean Lilienfeld, M.D.

C O N T E N T S

Call to Order, Introduction, Claudia Kawas, M.D.	4
Conflict of Interest Statement, Sandra Titus, Ph.D.	6
Vascular Dementia and Drug Development	
Welcome and Overview of the Issues, Russell Katz, M.D.	8
Presentations:	
Critical Elements for the Diagnosis of Vascular Dementia, Gustavo Roman, M.D.	14
Background and Potential Strategies for Prevention of Vascular Dementia, Philip Gorelick M.D., MPH, FACP	97
Focus on Subtypes: Dementia Due to Subcortical Ischemic Vascular Disease, Helena Chui, M.D.	43
Vascular Dementia: Factors Influencing Diagnostic Accuracy, Ranjan Duara, M.D.	81
Public Speakers:	
Diagnostic Criteria, Proposed Outcome Measure and Experiences to Date, Ray Pratt, M.D.	119
Issues Related to the Development of Drugs for the Treatment of Patients with Vascular Dementia, Andrew Satlin, M.D.	131
Overview of Design and Results in the Placebo Groups from Trial with Galantamine in the Treatment of Vascular and Mixed Dementia, Sean Lilienfeld, M.D.	147
Committee Discussion and Deliberation	168

1 P R O C E E D I N G S

2 Call to Order

3 DR. KAWAS: Welcome this March 14 meeting of the
4 Peripheral and Central Nervous System Advisory Committee.
5 My name is Claudia Kawas. I am from the University of
6 California, Irvine.

7 I would like to begin with introductions of the
8 committee and, perhaps, we can start off in the corner with
9 the FDA. Dr. Katz?

10 DR. KATZ: Russ Katz, Division Director,
11 Neuropharm Drugs, FDA.

12 DR. MANI: Ranjit Mani, Medical Officer,
13 Neuropharm, FDA.

14 DR. PENIX: LaRoy Penix, Moorehouse School of
15 Medicine.

16 DR. VAN BELLE: Gerald Van Belle from the
17 University of Washington.

18 DR. WEINER: Howard Weiner, Brigham and Women's
19 Hospital, Boston.

20 DR. GRUNDMAN: Michael Grundman, University of
21 California, San Diego.

22 DR. TITUS: Sandy Titus, FDA. I am the
23 administrator for the committee.

24 DR. WOLINSKY: Jerry Wolinsky, University of
25 Texas, Houston.

1 DR. ROMAN: Gustavo Roman, University of Texas,
2 San Antonio.

3 DR. CHUI: Helena Chui, University of Southern
4 California, Los Angeles.

5 DR. DUARA: Ranjan Duara, University of Miami
6 School of Medicine.

7 DR. DeKOSKY: Steven DeKosky, University of
8 Pittsburgh School of Medicine.

9 DR. GORELICK: Phil Gorelick, Rush Medical
10 College, Chicago.

11 DR. KAWAS: This committee has been convened to
12 discuss the topic of multi-infarct dementia or vascular
13 dementia, actually. We hope to accomplish a lot today. I
14 see many people who were here from yesterday. I am sure we
15 will have an equally interesting day as we cover the issues
16 of vascular dementia and the questions that we have been
17 asked to cover by the FDA.

18 The format for the day is going to be invited
19 speakers and public speakers who will have a maximum of
20 fifteen minutes to do their presentation and five minutes
21 for questioning. We have a timer up here. Dr. Titus does
22 really bad things to people after the red light. You will
23 have a two-minute yellow-light warning for the speakers up
24 at the podium.

25 I want to ask everybody who does speak to please

1 use the microphones because it is being transcribed, and if
2 you will introduce yourselves before you talk.

3 We will begin with the conflict of interest
4 statement that will be read by Dr. Titus.

5 Conflict of Interest Statement

6 DR. TITUS: The following announcement addresses
7 the issue of conflict of interest with regard to this
8 meeting and is made a part of the record to preclude even
9 the appearance of such as this meeting.

10 Based on the submitted agenda for the meeting and
11 all financial interests reported by the committee
12 participants, it has been determined that all interest in
13 firms regulated by the Center for Drug Evaluation and
14 Research which have been reported by the participants
15 presents no potential for an appearance of a conflict of
16 interest at this meeting with the following exceptions.

17 Since the issue to be discussed by the committee
18 at this meeting will not have a unique impact on any
19 particular firm or product but, rather, may have widespread
20 implications with respect to an entire class of products, in
21 accordance with 18 U.S.C. 208(b), each participant has been
22 granted a waiver which permits them to participate in
23 today's discussion.

24 A copy of these waiver statements may be obtained
25 by submitting a written request to the agency's Freedom of

1 Information Office, Room 12A-30, of the Parklawn Building.

2 With respect to FDA's invited guests, there are
3 reported interests which we believe should be made public to
4 allow the participants to objectively evaluate their
5 comments.

6 Dr. Ranjan Duara would like to disclose that he is
7 an investigator on a study entitled Validations of a Memory
8 Screening Instrument. The study is supported by a contract
9 from Pfizer. He also serves as a scientific advisor for
10 Pfizer/Eisai, Novartis and Janssen.

11 Dr. Philip Gorelick would like to disclose that he
12 has two NIH grants. Roche Laboratories and Bayer supplies
13 the medication for each of the grants. In addition, he is
14 on the speaker bureaus for Janssen/Excerpta Medica, Dupont,
15 Roche Laboratories, Bristol Myers Squibb and Boehringer
16 Ingelheim. Dr. Gorelick has consultant agreements with NPS,
17 Eisai, G.D. Searle/Lorex, Roche Laboratories, Ketchum,
18 AstraZeneca, Glaxo Wellcome, Warner-Lambert, Baxter, Rand,
19 Solvay Pharmaceutical and Consumer Healthcare Products
20 Association. he is also on the Thought Leader Panel which
21 is supported by the Weinberg Group.

22 Finally, Dr. Helena Chui would like to disclose
23 that the State of California (DHHS) has provided grant
24 funding to the Alzheimer Center where she serves as a
25 principal investigator. She is also an investigator on a

1 study funded by a grant from the National Institute on
2 Aging. Additionally, she is a scientific advisor to the
3 Alzheimer's Association.

4 In the event that the discussions involve any
5 other products or firms not already on the agenda for which
6 an FDA participant has a financial interest, the
7 participants are aware of the need to exclude themselves
8 from such involvement and their exclusion will be noted for
9 the record.

10 With respect to all other participants, we ask, in
11 the interest of fairness, that they address any current or
12 previous financial involvement with any firm whose products
13 they may wish to comment upon.

14 Thank you.

15 DR. KAWAS: Thank you, Dr. Titus.

16 Now, Dr. Russell Katz, Director of the
17 Neuropharmacological Drug Products Division is going to be
18 giving us our mandates.

19 Presentations and Discussion on Vascular Dementia
20 and Drug Development
21 FDA Welcome and Overview

22 DR. KATZ: Thank you. I would just like to
23 welcome the committee back again. I appreciate your showing
24 up after yesterday's intense discussion and, also, again to
25 extend a special welcome to our invited guests who have

1 graciously given of their time and their effort to help us
2 with another difficult problem.

3 Yesterday, as you know, we discussed the clinical
4 entity known as mild cognitive impairment, or MCI. Today,
5 we will ask you to deal with several similar sorts of issues
6 with regard to the topic of vascular dementia.

7 Again, we bring you these questions now because a
8 number of sponsors have come to us with proposals for
9 studies in patients with vascular dementia. We have, of
10 course, allowed those studies to proceed but, again, we have
11 made no commitments to them about how data from those
12 studies would be interpreted pending a wider discussion of
13 the issues that I hope we will at least discuss today, if
14 not completely resolve.

15 Again, many of the issues that I hope we will
16 cover today pretty much were covered generically for MCI
17 yesterday but I will just give you a brief rundown of the
18 sorts of things that we would like you to discuss.

19 As we discussed yesterday for MCI, it is critical
20 that we get a handle on the diagnostic criteria for the
21 particular entity, in this case, today, vascular dementia.
22 Again, a big point that was discussed yesterday with MCI
23 was, even if we can identify acceptable research criteria
24 for clinical trials that can be reliably applied by experts,
25 how well can those criteria be applied and used out in the

1 community of prescribers who will actually use drugs if they
2 are approved for this.

3 As you know, there are several diagnostic
4 instruments that are available for diagnosing vascular
5 dementia. I am sure we will hear considerably more about
6 them today. But studies have shown that there is a
7 considerable variability across these diagnostic instruments
8 as far as their success in diagnosing vascular dementia.

9 In fact, of course, vascular dementia may not be a
10 single entity. There have been a number of underlying
11 vascular pathologies that have been considered to contribute
12 to the clinical picture of vascular dementia; subcortical
13 dementias due to small-vessel disease, cortical infarcts
14 secondary to disease of the larger vessels. Even large
15 single infarcts that might be located in the region of the
16 brain are important to the genesis of dementia and maybe
17 other pathologies may contribute to the clinical picture.

18 So we are very interested to know whether or not
19 we can consider vascular dementia as a single entity,
20 whether it consists of several different subtypes that are
21 sufficiently different from each other so that they should
22 be studied separately and whether or not which, if any, of
23 the diagnostic criteria that exist currently are adequate to
24 be able to reliably diagnose any of them.

25 In addition to the variability in these diagnostic

1 instruments in their ability to diagnose vascular dementia,
2 they are also not particularly excellent in differentiating
3 between vascular dementia and Alzheimer's disease. So that
4 is an important question that we are going to want to have
5 you address.

6 Of course, further complicating the picture is the
7 considerable overlap between the pathology seen in the
8 brains of patients diagnosed clinically with Alzheimer's
9 disease and the vascular findings in those patients
10 including amyloid angiopathy and abnormalities of the
11 periventricular white matter and the findings of lesions
12 typical for Alzheimer's disease in the brains of patients
13 who were clinically diagnosed with vascular dementia.

14 So the fact that there is substantial overlap of
15 the pathologies of each in the patients who were diagnosed
16 clinically with one of the two specific syndromes is, I
17 think, a complicating problem and we are very interested to
18 hear what you think about the role of each pathology and the
19 pathogenesis of each clinical picture.

20 In particular, of course, the mixed dementia is
21 where ferreting out these issues is even more complicated.
22 So we are very interested to know whether or not you think
23 the diagnostic criteria that exist are reliably able to
24 tease these matters out.

25 There is a particular problem which the briefing

1 document talks about with regard to drugs that have already
2 been approved for Alzheimer's disease. If the diagnostic
3 criteria are not particular good at teasing out so-called
4 pure vascular dementia from mixed dementia, any effect that
5 you might see in a vascular-dementia study with such a drug,
6 a drug that has been shown to be effective for "pure"
7 Alzheimer's, it may be difficult to know whether any effect
8 you see in vascular-dementia patients may be just due to the
9 anti-Alzheimer component if there is a significant Alzheimer
10 pathology in those patients.

11 So that is a very important issue we would like
12 you to talk about. Those, I think, are the main issues we
13 want you to talk about. Again, there are questions, as
14 there were with MCI, about design issues and whether or not
15 you think there are specific, unique design elements that
16 ought to be incorporated into any clinical trial to evaluate
17 a drug for vascular dementia.

18 People have talked about the frontal-lobe
19 functions being, perhaps, more important to be looked at in
20 vascular-dementia patients than in Alzheimer's patients.

21 So, basically, in summary, we would like you to
22 specifically discuss the question of the utility of the
23 various diagnostic criteria that have been applied, their
24 ability to reliably identify patients with vascular
25 dementia, their ability to distinguish between subtypes of

1 vascular dementia, whether that is even an important concept
2 for us to be concentrating on, can they reliably distinguish
3 between Alzheimer's patients and pure vascular dementia
4 and/or, in particular, mixed types of dementia and, again,
5 critically, whether or not any diagnostic criteria that we
6 may discuss here that may be useful in clinical trials,
7 whether or not those criteria can reliably be applied by
8 non-experts in the community.

9 Again, if there are any specific design features,
10 whether it is control groups, whether it is duration,
11 whether it is specific outcome measures that need to be
12 applied in these studies as opposed to other studies in
13 other dementing illnesses are issues that we would like you
14 to discuss. Of course, any other relevant issue that you
15 think would need to be brought up, we are happy to hear.

16 So, again, just as a brief summary of the sorts of
17 topics we would like you to look at. I will end there and
18 welcome you again and thank you again for your efforts.

19 DR. KAWAS: Thank you, Dr. Katz.

20 Our first speaker today is going to be Dr. Gustavo
21 Roman from the University of Texas Health Science Center in
22 San Antonio. He will be talking on the Critical Elements
23 for the Diagnosis of Vascular Dementia.

24 For those of you who have a program, we are
25 shifting the order today at the speakers' request to unfold

1 the issues and the way they were interested in showing us.
2 So Dr. Roman will be the first speaker followed by Dr.
3 Helena Chui.

4 Critical Elements for the Diagnosis of Vascular Dementia

5 DR. ROMAN: Thank you, Ms. Chairman.

6 [Slide.]

7 I would like to thank the FDA for this invitation
8 to present some of these topics on a subject that has been
9 under very intensive study for the past ten years. Indeed,
10 in 1991, I had the privilege of organizing the workshop that
11 addressed the topic of separating from the group of
12 dementias those that were the result of vascular factors
13 considering the broad range of lesions from heart failure,
14 cardiac arrhythmias to multi-stroke infarction.

15 The idea was to come up with a definition that
16 would be useful for research studies in the epidemiology
17 field providing, then, risk factors that could, perhaps, be
18 used to prevent this condition.

19 [Slide.]

20 As you can see, there is a wide range of
21 investigators from several countries and continents who
22 participated in this first attempt to come up with
23 diagnostic criteria for this condition of vascular dementia.

24 In the late 1960s, early '70s, we were, so to
25 speak, blinded by the lights of the discovery of Alzheimer's

1 disease as the most common cause of senile dementia. I
2 would like you to keep this image in mind because the
3 magnitude of the program of Alzheimer's disease has
4 certainly influenced our thoughts on the concept of dementia
5 and on the impact of vascular factors and other factors in
6 the production of dementia in the elderly.

7 For example, it was considered that there could be
8 no dementia without memory loss despite the fact that the
9 clinicians at the trenches were finding patients who
10 presented with hemiparesis, with problems with executive
11 dysfunction, who really would not go to a memory clinic
12 because memory was not the first and the most important
13 complaint.

14 This also influenced the idea of coming up with
15 these criteria that, in the concept of many, have been, in a
16 way, Alzheimerized, if I can use that expression.

17 [Slide.]

18 So, with those thoughts in mind, it was decided to
19 agree on what were the critical elements for the diagnosis
20 of vascular dementia. Number one, it was important to have
21 an agreement on the diagnosis of dementia because, for
22 epidemiological studies, you need to have sort of the final
23 pathway, the final component of the syndrome. You would not
24 study risk factors for dysplasia of the lung. You would go
25 for lung carcinoma to look for the risk factors.

1 These criteria were developed, essentially, with
2 an epidemiological framework in the conception of the
3 criteria.

4 The second point is that the patients would need
5 to have cerebrovascular disease. By cerebrovascular
6 disease, it was understood that it was going to be ischemic
7 lesions, frank infarctions, hemorrhages, problems involving
8 venous thrombosis, problems dealing with cardiac failure or
9 problems with hypoperfusion.

10 It was, therefore, a fairly broad range of
11 possible causes that were all included under the category of
12 cerebrovascular disease. Finally, the most difficult point
13 was to try to make a reasonable link between the dementia
14 and the cerebrovascular disease. This is how the committee
15 agreed to tackle the issue.

16 [Slide.]

17 Dementia was defined as a decline in memory and
18 intellectual abilities that cause impaired function in daily
19 living. This was an adaptation of the WHO essentially
20 because of the need to use these criteria on an
21 international arena, that it was equally important to define
22 dementia in a setting that would be useful both in a
23 developing country as in areas of the developed world.

24 [Slide.]

25 The dementia would need to be confirmed by

1 neuropsychological testing including impairment of memory.
2 As I mentioned before, this is sort of a legacy of the
3 Alzheimer's Group, that there could be no dementia without
4 memory loss because, essentially, that is the fact, as we
5 saw yesterday, in Alzheimer's disease.

6 But it would have to include two or more cognitive
7 domains. That could be either orientation, language,
8 visuo-spatial functions, attention. Executive functions
9 were included there, motor control and praxis. So it is a
10 fairly stringent criteria for the definition of dementia,
11 having essentially three areas of cognitive impairment for a
12 diagnosis of dementia.

13 [Slide.]

14 For the diagnosis of cerebrovascular disease, the
15 committee felt that it was important to confirm the lesions
16 by brain imaging essentially because those who work in the
17 stroke field know that a significant number of patients with
18 strokes can have a completely silent clinical course. It is
19 the imaging that is going to show the presence, or the
20 effects, of risk factors for vascular disease on the brain.

21 The committee felt that it was important to
22 include not only the multiple large-vessel strokes, the
23 so-called multi-infarct dementia, but there is clear
24 evidence from the literature and from the clinical
25 experience that a single stroke can produce an acute

1 dementia in a patient when it is appropriately placed; for
2 example, in the thalamus, the so-called thalamic dementia,
3 posterior-cerebral artery and anterior-cerebral artery
4 territories.

5 The same is true for lacunar strokes. They are
6 usually multiple, localized in the basal ganglia and in the
7 white matter. We began to learn from the advent of CT and
8 especially from MRI the importance of periventricular
9 white-matter lesions in the elderly and the importance of
10 these lesions as a cause of dementia.

11 This was eventually confirmed by the description
12 of the first genetic form of vascular dementia which is
13 called CADASIL which manifests, essentially, by the presence
14 of extensive periventricular white-matter lesions and
15 multiple lacunar strokes.

16 [Slide.]

17 The presence of focal neurological signs on
18 examination was considered to be evidence of the existence
19 of cerebrovascular lesions, especially patients with small
20 lacunar strokes may have just very subtle focal neurological
21 signs on examination, with or without a history of stroke
22 because of the frequent finding of silent strokes.

23 The use of the Hatchinski Ischemic Scale was not
24 recommended essentially because of the epidemiological
25 reason that when you want to look for risk factors in a

1 population, you don't include those risk factors in the
2 definition. As you know, the Hatchinski scale emphasizes a
3 history of hypertension and a history of vascular disease
4 and prior history of stroke.

5 So that was the reason for not including the
6 Hatchinski Ischemic Scale although further studies have
7 demonstrated that this is probably one of the most effective
8 tools for the diagnosis of vascular dementia in particular
9 cases.

10 The noninclusion of the Hatchinski scale also
11 brought to the fore the idea that perhaps hypotension could
12 be as important as hypertension in some cases of vascular
13 dementia.

14 [Slide.]

15 To solve the issue of the link between vascular
16 lesions and the presence of dementia, the committee felt
17 that the onset of dementia within three months following the
18 stroke could be a reasonable criteria. The second point was
19 that those cases of dementia that presented with an abrupt
20 onset of cognitive dysfunction were likely to be of vascular
21 origin since this is sort of the hallmark of vascular
22 lesions.

23 Also, the presence of fluctuating stepwise
24 progression that is quite different from that seen in
25 Alzheimer's disease was also an important element.

1 [Slide.]

2 Features inconsistent with vascular dementia were
3 those that are usually associated with Alzheimer's disease
4 such as a fairly profound amnesia, worsening of language,
5 transcortical sensory aphasia, patients with pure apraxia,
6 agnosia, absence of focal neurological signs and,
7 especially, the imaging criteria was considered to be
8 extremely important because, while there is not a typical
9 lesion that would allow a radiologist to make a diagnosis of
10 dementia, of vascular dementia, in a particular case, lack
11 of cerebrovascular lesions in the brain in a patient with
12 dementia is considered to be against the diagnosis of
13 vascular disease.

14 [Slide.]

15 Clinical features consistent with vascular
16 dementia were those that are currently included in the group
17 of subcortical vascular or frontal-lobe subcortical lesions
18 such as early gait disturbances, frequent falls, increased
19 urinary frequency, personality changes, depression.

20 There is now in the psychiatry literature a strong
21 trend towards the diagnosis of vascular depression,
22 psychomotor retardation and, especially, the abnormal
23 executive function.

24 There are several studies, and Dr. Helena Chui
25 certainly will present some of the most recent data, but

1 from previous studies, the sensitivity and specificity of
2 the NINDS/AIREN criteria range from 0.58 to a specificity of
3 0.94 which is reasonably good.

4 [Slide.]

5 The CAPA Index, the inter-observer reliability,
6 ranges from a modest 0.46 to 0.72. Essentially because of
7 the differences in the diagnosis of dementia, what dementia
8 is, you can see a substantial difference in the incidence of
9 dementia in a particular population.

10 [Slide.]

11 Part of the difficulty with a stroke and dementia
12 and vascular lesions and dementia is that this is a
13 necessary condition but it is not the only factor that leads
14 to the development of dementia. This has been one of
15 stumbling blocks, one of the difficulties in the diagnosis
16 of this condition.

17 [Slide.]

18 I will give you an anecdotal example. You
19 recognize Louis Pasteur. Late in life, you can see the
20 sequelae of left hemiplegia with facial--and loss of use of
21 the hand. Indeed, he suffered a stroke at age 46 when he
22 was barely beginning his studies on beer and fermentation.
23 He had a second stroke after the discovery of the rabies
24 vaccine and the third one one year before he died.

25 So, as you can see, stroke is not equal to

1 dementia. You may not have cognitive dysfunction as a
2 result of a stroke. That has been part of the problem.

3 [Slide.]

4 The initial approach was to say, well, it is a
5 question of volume. The more strokes you have, the higher
6 the chances are that you will develop dementia. Indeed, the
7 term "multi-infarct dementia" coined by Hatchinski in '68,
8 '70, sort of decided to take away from that group of
9 arteriosclerotic dementia that had existed since the turn of
10 the century, the very likely explanation.

11 [Slide.]

12 But it was also clear that this was not the only
13 factor for dementia, that you could have, as you see in
14 patients who suffer an acute stroke--this is the experience
15 from Columbia University by Desmond--that the odds ratios
16 for developing of dementia are the presence of a
17 large-hemisphere stroke, left-sided, anterior-cerebral or
18 post-cerebral artery.

19 But, certainly, the most important factor is going
20 to be age. Patients who suffer a stroke after age 80 or
21 older have an odds ratio almost thirteen times the risk of
22 dementia.

23 [Slide.]

24 The second factor is that if the stroke is
25 complicated by ischemic anoxic complications such as

1 seizures, cardiac arrhythmias and so on, the possibility of
2 developing dementia is very high even when you include in
3 the equation age, education, hypertension and other
4 elements.

5 [Slide.]

6 So the incidence of post-stroke dementia ranges
7 between 27 and 41 percent depending on the criteria. Let's
8 say 25 percent of patients who suffer a stroke will develop
9 a significant dementia making this one of the most important
10 problems--

11 [Slide.]

12 --especially because we now have elements to
13 prevent those, at least decrease the incidence, and there
14 has been a substantial improvement in the prevention of
15 cardiovascular disease in this country.

16 [Slide.]

17 We are going from the large vessel to small-vessel
18 lesions--

19 [Slide.]

20 --and to lacunar strokes that can produce dementia
21 by itself--

22 [Slide.]

23 --to the concept of Binswanger's disease where you
24 have a substantial loss of the periventricular white matter
25 producing sort of a disconnection of cortical function.

1 [Slide.]

2 Finally, as I mentioned, the CADASIL, the first of
3 the genetic forms of dementia that has been essentially a
4 model of how vascular dementia can progress.

5 [Slide.]

6 Finally, in summary, we believe that the
7 importance of vascular factors is extremely large.
8 Fortunately, after a decade of controversy, we are beginning
9 to see the first results of at least clinical trials that
10 indicate that this is, indeed, a separate population,
11 different from Alzheimer's disease, that this is not just
12 Alzheimer's disease with a sprinkle of lacunar lesions but
13 that, indeed, it represents a different population.

14 As we will see later on today, not only the
15 treatment but the possibility of prevention is offering one
16 of the most exciting changes in this area.

17 Thank you very much.

18 DR. KAWAS: Thank you, Dr. Roman. The floor is
19 now open for questions.

20 DR. NYENHUIS: Dan Nyenhuis from Rush Medical
21 College. Do you think that memory should continue to be a
22 requirement for the diagnosis of vascular dementia?

23 DR. ROMAN: Again, as I mentioned, the problem is
24 with the definition of dementia, what is it that we are
25 going to define as the dementia. We often see patients who

1 pass the Mini-Mental with a score of 26 who have relatively
2 good memory. At least, they can remember two out of three
3 objects after a few minutes.

4 Memory is not the main complaint, but these
5 patients are unable to cook. They are unable to get
6 dressed. They have major difficulties in their daily-life
7 interactions. When you do tests for frontal executive
8 function, you find that they are profoundly affected.

9 So I think this is going to require a redefinition
10 of dementia rather than redefinition of vascular dementia.
11 So, for the time being, again, we are sort of prisoners of
12 the definition of dementia that resulted from the large
13 number of patients with Alzheimer's disease.

14 So it will have to be in the equation and probably
15 what we need to do is decrease the importance of memory as
16 the main element. But this is going to require probably a
17 complete redefinition of the problem.

18 DR. CHUI: Dr. Nyenhuis, may I also respond to
19 your question about whether memory should be a requirement
20 for vascular dementia. My response is it depends on how you
21 define memory. I think if you define it broadly as
22 difficulties with recall, that patients with vascular
23 dementia will also fulfil the criteria for a memory
24 disorder.

25 But these people often don't have the same type or

1 pattern of memory disorder. They respond better to cuing or
2 recognition memory. So I think we could still be content to
3 say that memory would be one of the requirements but we
4 would have to relax how we operationally define the memory
5 impairment. In fact, the pattern may help us in a
6 differential diagnosis.

7 DR. DeKOSKY: I would like to know why memory
8 would have to stay as a required cognitive domain for
9 memory. As somebody from the Alzheimer's side who had
10 nothing to do with those definitions, I am curious about
11 someone who presents with praxis and, perhaps, language
12 problems from a left-hemisphere lesion and the kind of
13 executive dysfunction and, perhaps, executive memory
14 dysfunction that Dr. Chui is describing, why would you need
15 to have memory loss as a requirement for a significant
16 impairment in cognitive function to meet vascular-disease
17 criteria?

18 Are we still a little too attached to AD? I don't
19 understand the logic even listening to it as an Alzheimer
20 person.

21 DR. CHUI: I think it is a good debate. Actually,
22 in the criteria that we developed in California, we dropped
23 the requirement for memory. In the unfolding criteria for
24 vascular or cognitive impairment, there is no requirement,
25 really, for memory.

1 DR. KAWAS: I think that is Dr. Chui's way of
2 saying it doesn't have to be part of the definition. It
3 sounds like we have already got two definitions on the
4 table.

5 DR. PENIX: As a corollary to that, what do you
6 think the contribution of requiring memory as part of the
7 definition for dementia has contributed to the difficulty in
8 separating vascular dementia from Alzheimer's disease?

9 DR. ROMAN: I think that is an important point
10 because what it meant was to emphasize that sort of gray
11 area that we haven't touched of the so-called mixed
12 dementias, patients who have what has been called pre-stroke
13 dementia. This is a patient who has been having memory
14 difficulties for the past three years, who is having
15 difficulty with finding the way to the bathroom and happens
16 to have a stroke that makes things much worse.

17 But that patient, and this can be as many as
18 twelve, fifteen percent of the patients who present with
19 so-called post-stroke dementia, are actually patients with
20 Alzheimer's disease who happen to have a stroke because of
21 the commonality of risk factors between the two conditions.

22 So I think the emphasis on memory, in a way, has
23 given a bad name to the criteria because it says, well, what
24 you are doing is you are including patients who actually
25 have Alzheimer's disease and just happen to have a stroke

1 that triggers or makes the dementia much more evident.

2 That is the reason why, as I already mentioned, we
3 are trying to give a little bit less emphasis to this memory
4 deficit as a requirement. But ten years ago, it was an
5 anathema.

6 DR. WOLINSKY: I heard a number of different ways
7 that vascular disease can lead to this syndrome;
8 large-vessel disease, small-vessel disease, diabetes,
9 hypertension, CADASIL. So when we talk about treatments for
10 this form of dementia, if it can be defined, will we always
11 be talking about symptomatic treatments, since I can't
12 imagine a common pathophysiology for the fifteen different
13 types of ways we can do this with vascular disease.

14 DR. CHUI: I think that is a good lead-in for my
15 talk.

16 DR. KAWAS: In that case--actually, though, on
17 your way up, I would like to ask a question. Back to the
18 discussion of two cognitive domains and whether or not
19 memory needs to be one of them, it strikes me that defining
20 vascular dementia with two cognitive domains and not
21 specifying which ones does not rule out, or take out of the
22 diagnosis, anybody who would have fallen in with memory or
23 would have mixed dementia and would only serve to capture
24 more people who might have been left out and, as such, that
25 means it would increase its sensitivity for vascular

1 dementia if the domain of memory was not specified.

2 Is that the case?

3 I think we have got two people who want to comment
4 before Dr. Chui gets to speak. One of them is Dr. Chui,
5 followed by Dr. Wolinsky.

6 DR. CHUI: I just wanted to give a nod to that
7 interpretation. I think you are right, that if we require
8 memory as part of the definition of vascular dementia, we
9 are enriching the sample for Alzheimer's disease and
10 increasing our dilemma of separating them later.

11 DR. KAWAS: No; I don't think you enrich. If you
12 say two domains, and the person has a problem with memory,
13 they will still fall into it. So you don't increase your
14 specificity or enrich it. You actually--is that correct?

15 DR. CHUI: If we leave the two domains as if one
16 could be memory, then there is no problem. It creates a
17 larger universe. But if we specify that one of the two
18 domains must be memory, which is the case for the DSM III
19 criteria for dementia, and for the Alzheimer's type, then we
20 are enriching for Alzheimer's.

21 DR. KAWAS: By excluding the other individuals.
22 Okay.

23 DR. WOLINSKY: I see the problem of the patient
24 who has been having progressive cognitive decline before an
25 obvious clinical stroke. But then I have trouble with the

1 logic of saying that once there has been evidence of a
2 clinical stroke, the cognitive decline can occur with or
3 without evidence of further strokes as long as there is
4 additional vascular disease.

5 So have we excluded a significant part of vascular
6 dementia which is the most interesting part which is
7 presenting before the stroke with these criteria?

8 DR. ROMAN: Part of the problem is that the data
9 from the Nun study shows that you could make a case for what
10 the Nordic Group of Dementia calls type 1 and type 2
11 Alzheimer's disease. They say Alzheimer's disease is the
12 one described by Alzheimer with Auguste D. who was only a
13 50- or 51-year-old woman who developed dementia with
14 psychotic features, if you want, and who had the typical
15 lesions.

16 When you look at the pathology of those cases with
17 early-onset Alzheimer's disease, there is really no vascular
18 component except for amyloid deposits. But, as you grow
19 old, and this is the case, for example, in the Nun study
20 where the mean age of the subjects who came to postmortem
21 was well in the '80s with a couple of centenarians in the
22 group, it is clear that the neuropathologist cannot make a
23 diagnosis, cannot say who was demented and who was not
24 demented because of the presence of typical lesions of
25 Alzheimer's disease fulfilling the neuropathology criteria

1 for the condition.

2 So what made the difference was the presence of
3 small lacunar strokes. It seems that vascular disease seems
4 to be the trigger, the element that sort of brings to the
5 surface the clinical expression of the dementia, the
6 dementia of Alzheimer's type in the clinical viewpoint.

7 So the idea is that, and I think Phil Gorelick
8 will address this, perhaps we can do more, not so much for
9 the treatment of the condition, both vascular and
10 Alzheimer's disease, which, at the end, are essentially the
11 final common pathway of a number of elements, but perhaps we
12 could do something in the sense of prevention, that some of
13 these cardiovascular risk factors could be treated to
14 prevent the development of a dementia that, in that case,
15 would not be that important if we call it just vascular or
16 Alzheimer's disease.

17 So I think, from that viewpoint, it is extremely
18 important to keep the separation between the two conditions.
19 In one case, the disease in the elderly may not be enough to
20 manifest as an Alzheimer's type dementia and that you need
21 the vascular component.

22 DR. WOLINSKY: I guess my problem, and I
23 understand the difficulties, or some of the understanding of
24 the difficulties of what you have just described, but I
25 thought that the working definitions you have would actually

1 exclude a fair number of patients with CADASIL from
2 consideration because they would be presenting with a
3 dementing illness before stroke.

4 DR. DeKOSKY: This is a place where not having to
5 consider memory in these early slow onset by vascular
6 determined cases would be very helpful because if you look
7 across the group, that is, I think, one of the places where
8 Alzheimer's disease kind of flows into the group especially
9 those who have subcortical white-matter alterations who
10 somehow get brought to you in that context who may have some
11 vascular risk factors.

12 DR. CHUI: I think you have an excellent question.
13 While I can't answer it, I can, perhaps, expound on it. So,
14 if I am understanding correctly, you are saying that if we
15 take someone that comes in with a stroke but with a history
16 of a slowly progressing dementia before the clinical event
17 and exclude them because we assume they have Alzheimer's
18 disease, aren't we excluding, maybe, the most interesting
19 part of the sample.

20 I agree with that. Many of us are doing that. I
21 think, in the stroke series, about 12 percent of them have a
22 history of slowly progressive dementia before the clinical
23 event. Again, I think, coming from an Alzheimer's model, we
24 assume that a slowly progressive dementia means Alzheimer's
25 disease.

1 But you come the multiple-sclerosis world and you
2 know that slowly progressive dementia could be multiple
3 sclerosis. I think that slowly progressive dementia can
4 also be vascular dementia.

5 DR. KAWAS: I guess the challenge is going to be
6 to find it.

7 DR. WEINER: I just had a quick question. Is it
8 fair to say that in somebody with a vascular type dementia
9 there have to be abnormalities on the MRI?

10 DR. ROMAN: Yes; that is a requirement from the
11 criteria.

12 DR. WEINER: There has to be.

13 DR. ROMAN: There has to be; yes. If you have a
14 clean MRI, it has got to be something else.

15 DR. WEINER: What percentage of people who have
16 Alzheimer's disease have a clean MRI?

17 DR. KAWAS: Age-dependent would be my answer.

18 DR. DeKOSKY: It also depends on where you get
19 your cases. If you look at the centers, in our center, we
20 code VAD cases according to whether or not they have
21 subcortical white-matter alterations. I think we run, I
22 would say, probably 60 percent clean when we stay below the
23 age of 75. When we get above that, it probably decreases.
24 But it is somewhere in the 50:50 range.

25 DR. WEINER: So it is an age-dependent--

1 DR. DeKOSKY: It is age dependent and the other
2 comment would be that, in looking, as some of my colleagues
3 do, at cognitive processing in normals, they clearly see
4 this association of altered but still within the normal
5 range and obviously slowly progressive cognitive declines,
6 not dementia, clearly associated with subcortical
7 white-matter alteration.

8 So there are ways that you can have slowly
9 progressive changes that look like they map to some kind of
10 alteration, at least in white matter, if we assume, like all
11 the neuroradiologists do, that that is due to vascular
12 disease. So there is a model for that although Alzheimer's
13 docs don't think about it very often.

14 DR. DUARA: In response to your question, Dr.
15 Weiner, when you say "clean MRI" in Alzheimer's disease, it
16 really depends, I think, what do you mean by clean. If you
17 consider just the periventricular area, there is a very
18 significant increase in white-matter change in Alzheimer's
19 disease that has been documented in multiple studies.

20 Although the radiologist will always read this as
21 ischemic, they have no idea what it is and it could be a
22 variety of different things. It could be inflammatory. It
23 could be vascular. It could be degenerative. It could be
24 gliosis. Who knows what it is.

25 So if you are just talking about that increase in

1 white-matter disease right around the ventricles, that is, I
2 would say, almost universal in patients with Alzheimer's
3 disease to a varying extent. But if you are talking about
4 discrete infarcts, that is a different situation. I would
5 say that it probably pretty unusual.

6 DR. DeKOSKY: I would have to say that has not
7 been our experience. It may be the difference where the
8 patients come from that Ranjan sees. Linear periventricular
9 changes, I think, are an aging change and I excluded that
10 from what I thought your definition of clean was.

11 But looking for subcortical white-matter changes,
12 which we do both with T2s and with flares, and flares picks
13 up a lot of things we are not sure of the origin of that we
14 don't see on T2, we see lots of patients who are clean. But
15 the older they get, the more likely we are to see the
16 extensions out from the angles of the ventricles in the AD
17 cases.

18 DR. GORELICK: I think one of the points here is
19 that vascular dementia or vascular cognitive impairment is a
20 dynamic process. The criteria that we all grew up on that
21 came out of the psychiatric literature that this was a
22 stepwise deterioration and then the Hatchinski score which,
23 really, just took the old criteria and then built some
24 weighting to it, and it heavily weights toward stepwise
25 deterioration and vascular risk factors, may not be correct.

1 What we have now is the new imaging techniques
2 which are probably going to show us--we are doing a study,
3 Dr. Chui is doing a study. We are starting--for example, at
4 our site, we are looking at diffusion-tensor imaging of the
5 white matter. We are looking at the hippocampal volumes,
6 entorrhinal cortex volumes and so on.

7 Dr. Chui is doing similar things. She is ahead of
8 us by about a year. She is going to show some data. But I
9 think the idea is that when we start looking at these
10 things, and if we start adding diffusion and perfusion
11 imaging at periodic times, we are probably going to find
12 that this is a very dynamic disease and it is not just going
13 to be the stepwise chugging along deterioration.

14 DR. CHUI: Coming back to your question, is the
15 neuroimage always abnormal in vascular dementia, I would
16 agree that our current criteria require that but that can be
17 circular. From looking at the pathologist's end, there may
18 be ischemic pathology with a normal MRI or CT, especially
19 microinfarcts in the cerebral cortex.

20 So I view imaging as our most sensitive tool at
21 this time but I don't think it is the end-all for detecting
22 ischemic vascular disease.

23 DR. WEINER: Is there any neuropathologist
24 seeing--you can't do that in people. So the question is is
25 there anything more sensitive than the imaging?

1 DR. CHUI: Imaging is evolving all the time, so I
2 think imaging tomorrow might be able to increase this
3 threshold of sensitivity. But structural MRI and flare now
4 is the best we have at this time.

5 DR. KAWAS: Dr. Katz?

6 DR. KATZ: I just sort of want to press that point
7 a little bit. The way I hear it, there is a certain amount
8 of sort of--I don't know, call it clinical nominalism or
9 something--going on here. These are patients who have had
10 strokes or something is seen on MRI. Maybe they are
11 different clinically than Alzheimer's patients or maybe they
12 are not, as we have already said, Alzheimer's patients who
13 presumably have Alzheimer's and then have a stroke get worse
14 and it is considered to be their Alzheimer's.

15 So there is a considerable overlap here. I am
16 just wondering, given the clinical criteria that are applied
17 to diagnose vascular dementia, how well does that map to the
18 pathology? How robust is the pathologic database that
19 supports this?

20 In other words, when physicians apply the vascular
21 dementia criteria clinically and they say, "Okay; this
22 patient has vascular dementia," what does the pathology
23 show? There is obviously considerable overlap in the brains
24 of patients who are diagnosed either when they were alive
25 with vascular or Alzheimer's disease.

1 There is the pathology of both in the brains of
2 these patients. I am wondering how well does the pathology,
3 at this point, support these clinical diagnoses?

4 DR. CHUI: In our program project, we have about
5 35 autopsies at this time. We find that the pathologist
6 sees a lot more vascular pathology than we imagined
7 clinically.

8 DR. KATZ: In vascular patients, or Alzheimer's
9 patients, or both?

10 DR. CHUI: In both. There are some problems in
11 that there is an interval of time between the MRI and the
12 autopsy. Some would argue that this difference in the
13 magnitude of ischemic brain injury has occurred during this
14 interval. But one of our projects is to try to get the MRI
15 as close as possible to the autopsy.

16 But the sample is still very small.

17 DR. KATZ: But, again, it raises the question of
18 how do you know what is causing the clinical picture.
19 Patients who are demented and they have a vascular picture,
20 whether it is on the MRI or clinical, you think there are
21 vascular events. So you say, "Well, the dementia is due to
22 the vascular events."

23 I am wondering, really, how, again, since sort of
24 pathology is the gold standard, how robust the pathology is
25 to support those clinical diagnoses?

1 DR. CHUI: My proposal is that we retreat from
2 this battle because I think we have lost it. I don't think
3 we can use pathology as a gold standard for the diagnosis of
4 vascular dementia because pathology is mute on dementia. It
5 tells us nothing about behavior.

6 Pathology can just confirm that there are ischemic
7 brain lesions and whether or not there is Alzheimer's
8 disease at all. So I think I am going to propose a retreat
9 toward identifying ischemic brain disease and not worrying
10 so much about whether it causes dementia. We should be,
11 then, targeting our treatment at minimizing the progression
12 of the ischemic brain injury.

13 DR. KATZ: But, again, to bring up the question of
14 semantics, we have to worry about what you call these.
15 These patients may be demented and they may have vascular
16 disease. They may also have pathologic changes consistent
17 with Alzheimer's disease. But we have to worry
18 about--obviously, we all have to worry about what you call
19 it.

20 I am not even talking yet about proposed
21 treatments and prevention and symptomatic treatments. I am
22 simply talking about what do you name these people. Can we
23 reliably say these people have something called vascular
24 dementia and it is different, fundamentally different, from
25 these patients who have something called Alzheimer's

1 disease. That is the real question, at least at the moment,
2 for me.

3 DR. KAWAS: Actually, I have a question for Dr.
4 Chui. Of those 35 autopsies that you have, presumably of
5 carefully diagnosed, carefully selected, individuals, what
6 percentage of them had Alzheimer's pathology at autopsy in
7 addition to whatever vascular you found?

8 DR. CHUI: Let me just talk about the first
9 twenty-four cases because the other ten are still kind of
10 being evaluated in our consensus process. Of the
11 twenty-four first cases, we had twelve that were clinically
12 diagnosed as vascular dementia.

13 The degree of Alzheimer pathology varied. A
14 number of them have neurofibrillary tangles in the
15 entorhinal cortex and hippocampus, Braak stages 1, 2, 3 and
16 4. But, actually, very few of them had Braak stages 5 and
17 6. So there is some degree of Alzheimer pathology I think
18 commonly taking place in the hippocampus of these
19 individuals, but they don't have the isocortical stages of
20 Alzheimer's disease.

21 A number of them have diffuse plaques in the
22 cortex but not neuritic plaques, to complicate matters.

23 DR. KAWAS: Did any of them have nothing but
24 vascular disease?

25 DR. CHUI: A few of them did, yes; only vascular

1 disease. We still say Braak 1 or 2, but these are older
2 people.

3 DR. GORELICK: I just wanted to respond to Dr.
4 Katz's question. We are fighting this age-dependent battle
5 that Mary Ganguli called being in trenches. As people get
6 older and older, more and more things start happening to
7 them just as they are happening to all of us sitting around
8 the table.

9 So we have a lot of confounds that we have to deal
10 with. If you want to really get a pure case of vascular
11 dementia, you find somebody who has lupus anticoagulant or
12 anticardiolipin antibody and has the misfortune, at the age
13 of 35 or 40, to have a number of infarcts, and you are
14 probably not going to find plaques and tangles in the brain.

15 But, again, we keep fighting this battle that they
16 are in the hospital for various problems. They get
17 pneumonia. They have hypotension. They have cardiac
18 arrhythmias and things become very, very messy for us. So I
19 think that one of the issues is I am not sure that, in some
20 regards, and I will try to explain that when I give my
21 discussion, that we need to give these labels.

22 In certain respects, they are very important.
23 But, in other respects, they may not be because once the
24 brain gets wrecked, if you will, by dementia, it is wrecked.
25 The idea is to be upstream and try to prevent that process

1 from happening.

2 I think if we start focussing upstream, we are
3 going to be a lot better off than trying to deal with this
4 stuff downstream when the brain is wrecked.

5 DR. KATZ: I agree. But, right now, I think we
6 are sort of downstream. In other words, we have companies
7 coming to us saying, "These patients have vascular dementia
8 and we want to get a claim for the treatment--not
9 necessarily prevention--just the treatment of vascular
10 dementia."

11 So that is why we are here today, to figure out
12 whether or not we have a common understanding of what that
13 is as opposed to just calling it vascular dementia because
14 patients are demented and they have vascular disease.

15 DR. CHUI: My compromise position is that the
16 small-vessel subtype does represent one form of vascular
17 dementia where we can label it and we can propose a
18 pathologic gold standard because here the pathology does
19 correlate with the severity of dementia.

20 DR. PENIX: I guess one of the problems is that
21 there are no large series of neuropathological data. But is
22 there data available to answer Dr. Katz's question through,
23 like, the SERAD? My understanding is that SERAD is a
24 registry for Alzheimer's disease centers and they correlate
25 clinical data with many different things, one of which would

1 be neuropathological data from the multiple centers. I am
2 not sure if that data has been put together that would give
3 a large number.

4 DR. GORELICK: Generally speaking, if you look in
5 these brain-bank studies, the diagnosis of vascular dementia
6 is uncommon. On the other hand, you have to think about the
7 source of the data. You have got pristine diagnoses which
8 exclude all of the risk factors, and so on and so forth. So
9 you are going to have a very special group of people.

10 DR. KAWAS: I think one of the studies that you
11 might need to hear about is Dr. Chui's program project. So,
12 can I introduce Dr. Helena Chui.

13 DR. CHUI: But I won't talk much about the program
14 project.

15 DR. KAWAS: She is going to talk on Focus on
16 Subtypes, Dementia Due to Subcortical Ischemic Vascular
17 Disease. Dr. Chui is from the University of Southern
18 California, Los Angeles and Ranchos Amigos in California.

19 Focus on Subtypes: Dementia Due to Subcortical Ischemic
20 Vascular Disease

21 DR. CHUI: Dr Wolinsky, could you rephrase the
22 question you ask about ten minutes ago? It was something
23 about, since there are so many different pathways which lead
24 to vascular dementia, does this mean that treatment of
25 vascular dementia will be confined to symptomatic treatment,

1 because it is difficult to conceive of a treatment that
2 could really encompass all of the different
3 pathophysiologies.

4 [Slide.]

5 I agree, that is an excellent question. My thesis
6 is that vascular dementia is very important for
7 epidemiologic studies. The criteria that Dr. Roman
8 described help us to get an idea of the overall large
9 denominator, how big is this net.

10 But my thesis is that, for treatment, it is not a
11 useful net, that we have to, then, go down to subtypes that
12 are defined by specific pathophysiologic processes. The one
13 that I am going to choose to illustrate today is subcortical
14 ischemic vascular dementia.

15 [Slide.]

16 We came from a very invigorating and intense day,
17 as you said, yesterday, talking about the early stages of
18 Alzheimer's disease. Dr. Roman also said that most of us
19 came from an Alzheimer background and so we are influenced
20 very much by the model of Alzheimer's disease.

21 But the question is, taking a step back, and the
22 FDA is asking us to step and ask the different questions, is
23 Alzheimer's disease a good model for vascular dementia.

24 There are certain risk factors that lead to
25 Alzheimer's disease like genetic ones in 10 percent of cases

1 or apolipoprotein E4. There is a common pathology,
2 neurofibrillary tangles and neuritic plaques, and there is a
3 common phenotype, a progressive loss of cognitive functions
4 starting in the early stages with MCI and then progressing
5 to dementia.

6 However, for vascular dementia, if we start here
7 and go backwards, there are a whole host of risk factors;
8 hypertension, diabetes, hyperlipidemia, atrial fibrillation,
9 CADASIL, hypotension, et cetera; many types of
10 cerebrovascular disease, atherosclerosis, arteriosclerosis,
11 amyloid angiopathy, which is seen in Alzheimer's disease and
12 thus makes Alzheimer's disease a vascular dementia.

13 Hypotension, changes in the blood-brain barrier
14 leading to several types of brain injury; hemorrhages;
15 ischemia, ischemia due to occlusion, due to hypoperfusion,
16 leading to many syndromes; hemiparesis, hemisensory loss;
17 visual-field defects; akinetic mutism; neglect;
18 constructional apraxia dementia.

19 [Slide.]

20 Vascular dementia is not a disease. It is only
21 one possible phenotypic expression of vascular brain injury,
22 among others, focal deficits. Sometimes, there is no
23 observable phenotypic expression. We see, in MRI, evidence
24 of brain injury and there is no history of a clinical event.

25 Cerebrovascular disease sometimes leads to

1 dementia. Alzheimer's disease, arguably, does so
2 invariably. Unlike Alzheimer's disease, we already know a
3 lot about vascular risk factors and how to treat them so
4 focussing on vascular dementia is an arbitrary and late
5 choice.

6 [Slide.]

7 The FDA's questions, can vascular dementia be
8 clearly defined in a clinical setting; I believe it can,
9 yes. We just defined dementia, cerebrovascular disease and
10 that there is a relationship between the two. But my
11 question is is this useful, given its heterogeneity for
12 treatment? Is this useful for treatment, given its
13 heterogeneity?

14 Are there valid criteria for the diagnosis of
15 vascular dementia? Not if pathology is the gold standard
16 because, unlike Alzheimer's disease, the severity of the
17 pathology does not correlate strongly with the severity of
18 vascular dementia. The volume of infarcts may vary from
19 1 centimeter to 1 cubic centimeter or milliliter to 230 in
20 Erkinjuntti's paper.

21 Can vascular dementia be distinguished from
22 Alzheimer's disease and other causes of dementia? We can
23 define the vascular brain injury. We cannot rule out
24 concomitant Alzheimer's disease. That is a weakness of the
25 diagnosis of Alzheimer's disease. It is really not a

1 vascular-dementia problem. It is a problem with Alzheimer's
2 disease.

3 But my question is does this matter? Can't we
4 just treat the vascular injury and then treat the
5 Alzheimer's separately, two separate things. We have
6 separate independent markers for two processes.

7 [Slide.]

8 So my position is that vascular dementia is an
9 important diagnosis for epidemiologic studies but it is not
10 a useful concept for treatment. This is Dr. Wolinsky's
11 question. It is too broad. It is akin to trying to treat
12 neurodegenerative dementias as one group; Alzheimer's
13 disease, frontal-temporal dementia, dementia of the Lewy
14 body. We can treat them symptomatically.

15 [Slide.]

16 There are too many types of cerebrovascular
17 disease and too many pathophysiologic mechanisms.

18 [Slide.]

19 There are too many clinical phenotypes, major
20 hemispherical syndromes, lacunar state and variations in the
21 clinical course, abrupt onset, stepwise progression and
22 slowly progressive decline as we see in Binswanger's
23 subtype.

24 [Slide.]

25 There is a problem with the clinical criteria.

1 They are not interchangeable and, as I mentioned, there is
2 no gold standard for vascular dementia.

3 [Slide.]

4 Here are several criteria for vascular dementia
5 that we studied in the State of California. We took
6 twenty-five vignettes and sent them around to seven centers
7 and asked them to check coding sheets for each of these
8 criteria; the Hatchinski Ischemic Score, the Diagnostic and
9 Statistical Manual, the California Alzheimer's Disease
10 Diagnostic and Treatment Center Vascular Dementia and the
11 NINDS/AIREN criteria that Dr. Roman described in great
12 detail.

13 Left off this list is the ICD10 which we didn't
14 study. Of these twenty-five cases, the autopsy showed
15 vascular pathology in 24 percent. The DSM IV criteria
16 picked up most of these cases. So did the Hatchinski
17 Ischemic Scale modified. The ADDTC and the Hatchinski
18 Original picked up about a half. The NINDS/AIREN criteria
19 picked up about a fifth of the cases.

20 So there is a great difference in the sensitivity
21 of the criteria using just the presence of vascular
22 pathology as the gold standard.

23 Internally, actually the Hatchinski scale has the
24 greatest inter-rater reliability. The kappa scores were the
25 highest, 0.6. For DSM IV and ADDTC and NINDS, there was a

1 moderate degree of internal consistency.

2 [Slide.]

3 Why do we have such difference in the sensitivity
4 of these criteria? The way I conceptualize the issues,
5 it is very similar to what Dr. Roman laid out. We have
6 dementia and we have vascular disease in the title, the
7 nominal labeling. The challenge is how do we demonstrate
8 this causal relationship.

9 The criteria vary in what they consider to be
10 necessary clinical signs and symptoms. For example, do you
11 require focal signs, neurologic signs and symptoms. The
12 NINDS/AIREN do. The California criteria do not. What do we
13 require about the cognitive impairment; that it have an
14 abrupt onset? Do we require structural imaging? The
15 Hatchinski Ischemic Scale does not.

16 What other factors do we consider to be a causal
17 relationship? The ADDTC criteria and the NINDS/AIREN
18 criteria require some sort of a causal relationship between
19 a clinical event, a stroke, and the cognitive impairment.
20 That really narrows their sensitivity.

21 So this kind of variable way of putting together
22 these pieces of the puzzle explains, in my mind, why there
23 is so much variability in the criteria.

24 [Slide.]

25 One solution for treatment is to focus on

1 subtypes, to take the perennial--go from a lumper to a
2 splitter. So here we are taking the splitter strategy. My
3 shorthand for this is subcortical ischemic vascular D. And
4 the D could stand for dementia or it could stand for
5 disease. Disease is more the pathophysiologic process and
6 dementia is one phenotype.

7 [Slide.]

8 The small vessels that we are speaking of are 100
9 to 600 microns in diameter. These are arterioles that have
10 no internal elastic lamina and they are within the brain
11 substance. They are within the cortical mantle as short
12 arterioles but then a number of them called long medullary
13 articles perfuse the deep and periventricular white matter.
14 These small arterioles also feed the subcortical grey matter
15 in the basal ganglia and thalamus.

16 [Slide.]

17 So let's take a look at this cascade of events
18 within this subtype. Here we have hypertension and diabetes
19 mellitus as the most common risk factors. CADASIL would go
20 in here, too. They lead to small-vessel pathology, SIVD,
21 using one form of the label, leading to ischemic brain
22 injury. Of course, hypertension also leads to hemorrhagic
23 brain injury.

24 This leads to a syndrome of dementia due to SIVD
25 as well as gait disturbance, urinary incontinence, and so

1 forth. So SIVD is a term that can be used to describe
2 either the subtype of the vascular disease or the dementia
3 syndrome. I prefer to say dementia due to SIVD to clarify
4 that ambiguity.

5 SIVD represents a more homogenous clinical
6 pathological entity and, therefore, I believe will be a more
7 useful target for treatment. I am going to abbreviate this
8 paradigm shift, the splitting, the focussing on subtypes, as
9 drilling down. This is supposed to be an arrow pointing
10 down.

11 By using neuroimaging, finding a surrogate marker
12 for ischemic brain injury here, we can shift the focus of
13 treatment to here, earlier in the disease process. So,
14 while we can look at dementia as one of the outcome
15 measures, my suggestion is that we really focus on this as
16 the primary outcome measure, shift left earlier in the
17 disease process.

18 So this paradigm shift I am going to refer to with
19 the abbreviation, shifting left. This is supposed to be an
20 arrow to the left.

21 So, really, the take-home message from my talk
22 this morning is that we should drill down and shift left.

23 [Slide.]

24 So the FDA criteria can be reframed; delete
25 vascular dementia, replace SIVD.

1 [Slide.]

2 There are two pathophysiologic mechanisms for
3 SIVD. One is occlusive. This leads to lacunes and to
4 lacunar states. The other pathophysiologic mechanism has
5 been much more controversial. It is hypoperfusion leading
6 to deep white-matter lesions and Binswanger syndrome. I put
7 this in parenthesis because, clinically, Binswanger
8 syndrome, I believe, is only the tip of the iceberg of the
9 disease.

10 With MRI and CT, to some extent, we can detect
11 this well before there is clinical Binswanger syndrome.

12 [Slide.]

13 So the two pathophysiologic mechanisms are
14 occlusion of one of these small vessels which will give rise
15 to lacunar infarcts in the grey matter, subcortical grey
16 matter, or in the subcortical white matter. The
17 hypoperfusion mechanism leads to incomplete infarction, not
18 cystic or complete infarction but incomplete infarction, in
19 the end zones of the long penetrating medullary arteries.

20 These are long, high-resistance vessels. When
21 there is a diffuse small-vessel disease picking up every one
22 of these, the perfusion pressure head will be lowest here
23 and this, I believe, is manifest as white-matter lesions on
24 MR or CT as leukoaraiosis.

25 [Slide.]

1 Just briefly, because these are in your handout
2 now and I think you have noticed I changed my talk and am
3 now just entering what you have in front of you, these
4 lesions are like--the lacunes and the white-matter lesions
5 are readily seen on MRI and, to somewhat a lesser extent, on
6 CT.

7 So lacunes are bright on proton-density MR with a
8 few rare exceptions. Cystic lacunes is the exception. They
9 must be distinguished from perivascular spaces which are
10 bright on T2 but not brighter than CSF on proton density.

11 I won't go into these details, as I said.

12 [Slide.]

13 For the hypoperfusive mechanism, the deep
14 white-matter lesions, we have, on MRI, various degrees of
15 white-matter lesions. This slide came from the
16 Cardiovascular Health Study of, I think, about 26,000 or
17 33,000--I forget--community-dwelling elderly. There is some
18 correlation with neurobehavior once the lesions are rated
19 greater than 5.

20 [Slide.]

21 So how do we conceptualize the diagnosis of
22 subcortical ischemic vascular dementia? We have dementia,
23 and here there is a more or less homogenous behavioral
24 syndrome. It is the frontal dysexecutive syndrome. There
25 are memory problems but the pattern is that, while there are

1 difficulties with recall, recognition memory is better
2 spared.

3 There are certain clinical signs and, on the MRI,
4 multiple or strategic lacunar infarcts and confluent
5 white-matter lesions. Treatment can be symptomatic at the
6 dementia stage or it can be aimed at preventing ischemic
7 brain injury, shifting left.

8 [Slide.]

9 There are criteria published for subcortical
10 vascular dementia by Erkinjuntti et al. in the Journal of
11 Neural Transmission, 2000. This encapsulates this--

12 [Slide.]

13 --with the MRI criteria or--

14 [Slide.]

15 --CT criteria.

16 [Slide.]

17 For clinical trials of SIVD, the subtype, we would
18 want to add cognitive measures that are sensitive for
19 frontal dysexecutive function, working memory, retrieval
20 deficits in memory, and speed of processing.

21 [Slide.]

22 For the clinical trials, structural imaging will
23 be paramount. MRI would be preferred. The imaging could be
24 used qualitatively for the diagnostic or the entry criteria
25 and quantitatively as an outcome measure or a surrogate

1 marker for progression of ischemic brain injury.

2 [Slide.]

3 So, in summary, can SIVD be clearly defined in the
4 clinical setting? Yes. And it may be more meaningful for
5 treatment drilling down. Are there valid criteria for the
6 diagnosis of SIVD? They are published but not yet
7 validated. Pathologically, I feel we should aim at
8 confirming the ischemic vascular injury in excluding
9 Alzheimer's disease but not necessarily try to confirm that
10 the dementia was due to vascular disease.

11 Can SIVD be distinguished from AD and other causes
12 of dementia? Yes; we can define the vascular injury. But
13 the question is we know we can't rule out concomitant
14 Alzheimer's disease. The question is, does this matter?
15 Why not treat both processes independently?

16 What outcome measures should be used? Add
17 executive and recognition memory. What features should be
18 included in the clinical design? Structural neuroimaging.

19 Thank you.

20 DR. KAWAS: Thank you, Dr. Chui. Actually, I
21 would like to ask you a question. In the drill-down and
22 shift-left model, it seems to me that what you were
23 proposing was that we needed to put as a treatment for this
24 the prevention of additional vascular injury as opposed to
25 the prevention of vascular dementia, per se.

1 In that paradigm, to my mind, then, drugs that
2 would be appropriate would be decided in the traditional
3 model of what drugs are useful for stroke rather than what
4 drugs are useful for dementia that is related to stroke.

5 Is that the case?

6 DR. CHUI: I think, in general, I agree. But I
7 would point out that I think stroke neurologists, at this
8 time, are focusing their effort on preventing the
9 damage--preventing occlusion. They are focusing on the
10 model of occlusion, preventing occlusion or minimizing the
11 damage once occlusion has occurred.

12 There is, in my mind, a neglect of the importance
13 and the possible effects of hypoperfusion. So I think the
14 designs would be the same but there has to be a broadening
15 of the concept. Vascular dementia--we see patients that we
16 believe have vascular dementia, and, on autopsy, they have
17 vascular dementia, they have a slowly progressive dementia
18 with--maybe they have had one clinical stroke but they have
19 many more ischemic vascular lesions on their imaging than
20 clinical events.

21 They have a slowly progressive history. On
22 pathology, they have some Alzheimer's changes in the
23 hippocampus but not throughout the cortex. How, why are
24 they slowly progressing? One hypothesis is it is this
25 generalized stenosis and this hypoperfusion. There is where

1 we need to place our emphasis.

2 It is not just the prevention of stroke, a
3 clinical, dramatic event, but a prevention of a more
4 progressive subclinical incomplete infarction.

5 DR. KAWAS: You feel fairly convinced that that
6 exists and that those gradual decliners are not doing it
7 with another pathology, like Alzheimer's, that if we treated
8 hypoperfusion, we would make a difference in some clinical
9 way, if we had a treatment for hypoperfusion of some sort?

10 DR. CHUI: I do. I think you can tell that I do.
11 I appreciate hearing other voices on that.

12 DR. GORELICK: I would get back to the issue that
13 I have the strong suspicion this is a dynamic process.
14 Unless we have serial MR technology going on these cases, we
15 are not going to know for sure because a lot of these
16 patients who wake up in the morning and feel a little
17 clouded and feel they have the flu or it is their
18 rheumatism, they may have just had an ischemic event,
19 whether it is the pathology event or it is a physiologic
20 block, uncertain.

21 Again, with more MR imaging that could be
22 employed, we could probably see some dynamic changes. I
23 think that is what Helena is referring to.

24 DR. DUARA: Maybe Dr. Chui should respond to that.
25 I was going to ask a different question.

1 DR. CHUI: Dr. Kawas, another reason I believe is
2 that is that in our program project, we do quantitative MR
3 imaging. To our surprise, there is a lot of brain atrophy.
4 The best correlate of the severity of dementia and vascular
5 dementia is the degree of hippocampal and cerebral-cortical
6 atrophy.

7 DR. KAWAS: Does the degree of hippocampal atrophy
8 correlate with the degree of Alzheimer concurrent pathology?

9 DR. CHUI: No. If you go back--we saw that. It
10 doesn't. There is something else going on in vascular
11 dementia of this SIVD type, because that is the focus of our
12 program project, that leads to a diffuse atrophy of the
13 hippocampus and cerebral cortex and it is not explained by
14 cortical neurofibrillary tangles and neuritic plaques.

15 DR. DUARA: Actually, that was the question I was
16 going to ask you. If you look back at the old data on
17 vascular dementia, there was a study done by Miller-Fisher
18 published in The Lancet in 1962 where he looked at people,
19 about 300 autopsies that had been done at Mass General
20 Hospital.

21 These people had been evaluated by neurologists at
22 Mass General within six months before they died, and they
23 were considered not to be demented, whatever that meant at
24 that time. The average number of lacunes in their brain of
25 these 300 or so people was 3.2 lacunes. He had specified

1 that the size of the lacunes could be up to 2 centimeters in
2 diameter.

3 So the question is how many--you showed a number
4 of images today, Dr. Chui. Some of those looked like they
5 were infarcts. Some of them looked like they were
6 nonspecific white-matter hyperintensities, those relating to
7 small-vessel ischemic vascular dementia.

8 Is there a way of optimizing--I guess that was my
9 question and I think you partly answered that. But, if you
10 look at T1 and T2-weighted images, if there is a cyst-like
11 formation, a true lacune, rather than just a hyperintensity,
12 does that increase your specificity of knowing that this is
13 a vascular event? Perhaps, you could elaborate also on the
14 question about hippocampal changes that you see.

15 Should the hippocampal change be on the same side
16 as the vascular event that has occurred, or the major
17 vascular event? Is there any relation there, the asymmetry
18 of it, to understanding the pathophysiologic of that infarct
19 in some region of the cortex or subcortical region?

20 DR. CHUI: I guess there were several questions
21 there. Regarding increasing the specificity in the imaging
22 for a vascular event and whether using T1 and T2 and proton
23 density would improve the specificity, I don't think so. I
24 do think that, as the T1 gets darker, it probably correlates
25 with greater tissue injury so it might indicate the severity

1 of the ischemic injury but I don't think it helps us saying
2 it is ischemic versus demyelating or something like that.

3 I guess your last question was does the
4 syndrome--is it related to the side of the lesion or the
5 location of lesion. I mean, that is a good question, and
6 people have proposed a lacunar hypothesis, that it is a
7 lacune in, say, a frontal subcortical loop, the head of the
8 caudate as opposed to the putamen, the anterior limb of the
9 internal capsule as opposed to the posterior limb, the genu
10 instead of the posterior limb, the anterior or dorsal-medial
11 thalamus as opposed to the posterior-lateral thalamus, or
12 the ventricle-anterior thalamus.

13 Those proposed important locations that would
14 increase the likelihood of a cognitive impairment. I think
15 that is still a plausible hypothesis. But, in our program
16 project, we haven't addressed that fully. We haven't broken
17 down location to that degree yet.

18 But we didn't find a good correlation between the
19 number of lacunes, like 3.5 lacunes, and the severity of the
20 dementia. The best predictor of the severity of dementia
21 was hippocampal and cerebral-cortical atrophy.

22 The cortical atrophy correlates with the
23 white-matter lesions. The volume of the white-matter
24 lesions correlates with the severity of cerebral-cortical
25 atrophy, the ribbon. But the white-matter lesion volume

1 doesn't contribute a lot by itself to the dementia severity.

2 So I think the white-matter lesion is a good
3 marker for ischemic vascular injury, once you take out MS
4 and HIV and progressive multifocal leukoencephalopathy by
5 clinical circumstances and so forth. Once you take that
6 apart, I think it is a good marker for this second model,
7 for the hypoperfusive mechanism. So I think it is a good
8 marker for that, but it is not a great marker for the
9 severity of cognitive impairment because it is mainly
10 affecting the cabling, the white-matter tracks.

11 It starts with demyelination. It is going to cause
12 slowing. Later it is going to cause axonal loss. So we are
13 going to see, first, some declines in speed, declines in
14 executive function, but we don't see a severe dementia until
15 the white-matter lesion becomes all over the place. At that
16 point, there is severe cerebral-cortical atrophy.

17 Again, I think I sound a lot like multiple
18 sclerosis.

19 DR. KAWAS: I think you sound provocative enough
20 that Dr. Gorelick, followed by Dr. DeKosky, is dying to have
21 the floor. Dr. Katz, would you like to respond first and
22 then we will move around the room?

23 DR. KATZ: I am still sort of troubled by the
24 equation with the causality in the middle and trying to sort
25 of tease that out, the lack of correlation between the

1 white-matter disease and the degree, or perhaps the
2 presence, of dementia is troubling from the point of view of
3 causality, not that a great correlation would prove
4 causality either, but it would be stronger evidence that it
5 was causative.

6 You said that, for this particular SIVD subtype,
7 that there is a stronger or more homogenous clinical
8 pathologic correlation than for other types, presumably of
9 so-called vascular dementia. But you are suggesting now
10 that, at least from the point of view of the white-matter
11 disease, there really isn't much of a correlation.

12 How robust is the clinical pathology correlation
13 in this particular subtype? Is there a wealth of data that
14 shows that they are correlated? Again, these things suggest
15 that they maybe they aren't very well correlated. I would
16 just ask that question.

17 DR. CHUI: I think they are better correlated than
18 if you take vascular dementia as just a whole and try to
19 correlate the vascular lesions. In this subtype, the
20 lacunes tend to fall first in subcortical white matter and
21 gray matter, and they tend to fall in the frontal lobe more
22 than the posterior lobe.

23 I think when you finally do volume all the lacunes
24 pathologically--and somehow, we have to get some kind of a
25 pathologic measure of what is going on in the cortex, what

1 is causing the atrophy there may be. But, at this point, I
2 don't have data to say how strong that correlation is.

3 DR. KATZ: Maybe as a follow up, you also said
4 that there was a relatively specific clinical picture with a
5 frontal dysexecutive syndrome. I am wondering how specific
6 is that. Do you see that in other types of dementia? Do
7 you not see other sorts of typical dementing symptoms in
8 this SIVD population?

9 I am really trying to get a handle on how specific
10 this thing really is and how well we understand it both
11 pathologically and clinically. So, from the clinical point
12 of view, how good is the data on that?

13 DR. CHUI: I think if you showed the
14 neuropsychological testing blindly to some
15 neuropsychologists, they may not be able to say this is SIVD
16 versus Parkinson's disease or progressive supranuclear palsy
17 or normal-pressure hydrocephalus or even multiple sclerosis.
18 But I think when the clinician has the imaging as well as
19 this picture, it becomes pretty specific.

20 DR. GORELICK: I missed some of Dr. Katz's
21 comments; I'm sorry. But I just wanted to indicate that
22 there may be a unifying hypothesis for all of this. If you
23 shift the paradigm over a little further to the left and
24 really become radical, what you end up seeing is that
25 hypertension, in mid-life, leads to cognitive impairment

1 later in life.

2 If you look at the MRI scans, for example, in the
3 NHLBI Twin Study, what you find is the brains are smaller in
4 the twin that had hypertension as opposed to the twin who
5 did not have hypertension.

6 If you look at some of the other MR imaging
7 studies, what you are finding is that there are areas in the
8 brain where you might see accentuation of the loss of
9 tissue. One of the areas that Strausberger has pointed to
10 has been the hippocampus and the thalamus.

11 So this issue that you had first raised before I
12 had to step out about why sometimes you see the white-matter
13 lesions and it is correlated and sometimes you don't, what
14 happens with hypertension over time is you are going to get,
15 one, shrinkage of the brain. Two, you are going to have
16 white-matter disease. Three, you are going to get lacunes.
17 It depends where you are on that spectrum as to what is
18 going to pop up at that particular time.

19 Again, there is a fair amount of cohort data from
20 mid-life following out to later life that shows this.

21 DR. DeKOSKY: We made advances in Alzheimer's
22 disease by stopping viewing dementia as global and saying
23 the pattern of cognitive impairment is, in fact, the way you
24 can make a diagnosis of inclusion. The dysexecutive
25 symptoms that show up with the interruption of these frontal

1 subcortical loops, predominantly anteriorly, I think are one
2 of the major markers for what makes clinicians look at
3 patients and say, "This looks like a cognitive vascular
4 impairment."

5 The problem, I think, listening to Helena and to
6 the old history of lacunes is lacunes can cause problems or
7 not, cognitively, depending on where they are. I think the
8 next step may well be trying to correlate where they are,
9 which is difficult but, with imaging, can be done with the
10 clinical syndromes that they present.

11 Listening to the hippocampal shrinkage data, for
12 which there are pathological reasons that it occurs, I
13 thought of the same thing I suspect other people did. There
14 is the lurking undiagnosed Alzheimer's disease in these
15 cases that is causing this.

16 It would be one of the reasons why I think it
17 would be extraordinarily helpful to look at cases who may
18 have had an executive memory problem, the forgetting to
19 remember, but who don't have the primary problem. If they
20 did, and if their hippocampi were the ones that shrank, then
21 I think you would have clear evidence that you could have a
22 vascular syndrome that caused those sorts of atrophies that
23 might, in fact, make it more helpful to diagnose but that
24 would not have this specter of Alzheimer's disease being the
25 real cause of the atrophy.

1 Until we have removal of that, either by
2 quantitation of how much amyloid is in the brains of these
3 cases or by removing what we would regard as *sine qua non*
4 Alzheimer's symptoms, there will still be this doubt that
5 Dr. Katz is trying to dissect his way through.

6 DR. PENIX: Dr. Chui, I like your approach in
7 separating the cortical infarcts from the subcortical. I
8 think, clearly, cortical infarcts are very different
9 clinically and as far as etiology is concerned. I think
10 that, by framing this this way, you are giving us an
11 analogous picture of brain ischemia that is similar to
12 ischemic heart disease.

13 We know that congestive heart failure is due to
14 small-vessel disease and accumulation of small-vessel
15 disease that can lead to left ventricular failure. So this
16 gives us an idea that accumulation of this small-vessel
17 disease in the brain can lead to a dementing process.

18 I think that, as far as the mechanism of
19 hypoperfusion, that still needs to be shown. That is
20 somewhat controversial. But, also, by separating it,
21 separating this stroke syndrome, if you can look at it that
22 way, creates another problem.

23 I still have problems interacting with
24 primary-care physicians and calling all strokes CVAs.
25 Therefore, we are asking them to--I have difficulty making

1 them understand that ischemic strokes are different from
2 hemorrhagic strokes and now we are going to really press
3 them to try to subcategorize ischemic strokes even more so.
4 So I think that could present a little difficulty.

5 DR. VAN BELLE: I am trying to figure out what is
6 the mix of your SIVD in terms of the total panoply of
7 vascular dementia however defined. Secondly, you indicated
8 that vascular dementia, that particular definition, is not
9 useful for treatment. Is your definition useful for
10 treatment, your SIVD?

11 DR. CHUI: I guess the first question is how
12 important is SIVD for the overall mix of vascular dementia.
13 I have tried to approach that question in two ways, one
14 looking at hospital series of stroke patients, how many of
15 them have lacunar strokes. It is about 10 to 30 percent,
16 more common among African-Americans and Asian-Americans than
17 Anglo-Americans.

18 The explanation there I think is because there is
19 greater prevalence of hypertension in African-Americans and
20 Asian-Americans.

21 Another way to look at your first question is,
22 among people with vascular dementia, how many of them have
23 this SIVD variant. That is the more direct question. The
24 data there is more meager. In epidemiologic studies, we are
25 just struggling with trying to find a common definition for

1 vascular dementia and although subtypes are outlined in the
2 NINDS/AIREN, epidemiologic studies don't usually include
3 neuroimaging studies which we required to do this
4 separation.

5 So most epidemiologic studies are noninformative
6 on your question. I think the exception to that would be,
7 like, the Honolulu Heart Study, the Honolulu Asia Aging
8 Study, where there is imaging.

9 In hospital samples, or in memory clinic samples,
10 of vascular dementia, how many have SIVD. Phil, I would
11 think of your study there. There it is pretty high. It is
12 up to 50 percent.

13 DR. GORELICK: Right. We have looked at
14 consecutive stroke patients since about 1987. We find, in
15 our subgroups, that about 50 to 60 percent--and, again, this
16 is largely in African-American population with a high
17 prevalence of hypertension and other cardiovascular risk
18 factors--it is about 50 to 60 percent. This is not
19 population-based data. This is all comers to the hospital
20 with a stroke.

21 DR. CHUI: Your second question is how useful is
22 this definition for treatment, which is the hypothesis. I
23 think that that is yet to be seen. But I think it is more
24 promising than this larger one. I think the question is
25 treating hypertension. Hypertension is this--so how

1 effective is treating mid-life hypertension and preventing
2 this? I will leave that for Phil.

3 DR. ROMAN: Could I add just one more comment.
4 The results of the factor of recruitment of patients; when
5 you concentrate your efforts on post-stroke dementia
6 patients, number one, you are dealing with a very old
7 population with a high rate of mortality. So the number of
8 patients who complete the study decreases very quickly.

9 As a matter of fact, dementia is a risk factor for
10 poor prognosis for poor survival. So, by sort of veering
11 away from this group, the multi-stroke dementia that would
12 be sort of the most obvious for controlled clinical trials,
13 you sort of improve the chances of completing the trial and
14 demonstrating an effect.

15 The rate of failure to complete the study is very
16 high when you just use multistroke dementia patients.

17 DR. WOLINSKY: Sitting here, just as you say, you
18 feel like you are talking about MS. I look and have always
19 expected that probably the advances that we need in multiple
20 sclerosis for neuroprotective agents were going to come from
21 your field because it is not sexy to do that in MS but it is
22 in Alzheimer's disease and stroke.

23 And we have learned something lately, that we have
24 some correlation with the findings that we can quantitate
25 with our portal to the pathology of MRI. But our lesions

1 look the same as yours and our correlations are probably
2 going to be no better, or maybe worse. Global changes are
3 probably more important than focal changes and we are
4 getting around to understanding what we don't know and how
5 we do have fairly good insight but we still don't test
6 specificity with this tool, which creates a problem.

7 But one of the things I think we have learned
8 recently was to add a cognitive dimension to our global
9 assessment of patients with MS and it adds something to
10 understanding how drugs are working.

11 What I have heard, with your model for
12 small-vessel vascular disease, is an overconcentration on
13 dementia when the other dimensions of destructive processes
14 in the brain must be included. Alzheimer's, you can forget
15 about it because they happen so late they are not useful.

16 It is a dementing illness primarily from the
17 beginning to end. But vascular disease is not. So, again,
18 I worry about, as I think you have told us, the treatment
19 paradigms being focussed just on dementia when the other
20 things may give you a marker earlier.

21 DR. GRUNDMAN: In the definition of dementia, you
22 have both neuroimaging and a cognitive syndrome. How much
23 SIVD do you actually need on your MRI in order to have SIVD?

24 DR. CHUI: When you are using the "D" there, do
25 you mean dementia or do you mean disease?

1 DR. GRUNDMAN: How much change on your scan do you
2 need in order to say that you have enough there to correlate
3 it with the clinical syndrome to say that you have dementia
4 due to that entity?

5 DR. CHUI: It is a good question and I think I
6 must go back and present the data. The thing I would want
7 to show is the atrophy, actually, how much atrophy is needed
8 before you start to see--what is the slope between the brain
9 volume and, say, cortical gray matter and the whatever
10 cognitive variable we use on that.

11 I can't tell you that. We have that data, but it
12 is going to be a continuum.

13 DR. GRUNDMAN: So it is not the white matter,
14 itself. It is this sort of corollary measure?

15 DR. CHUI: Yes; I think there is something going
16 on here that we don't understand. The white matter and the
17 lacunes are, in my mind, a marker that there is an ischemic
18 mechanism but the route to the behavior is through this
19 atrophy. And we don't understand that route, yet. It is
20 circuitous.

21 DR. GRUNDMAN: Getting back to the question of how
22 you would apply that in a community setting, I would assume
23 that the idea would be that people would look at the scans
24 and look at the white matter, not the atrophy.

25 DR. CHUI: Yes. I have just finished writing an

1 article for primary-care physicians on SIVD. What I
2 recommend for the practicing person is to use the
3 Cardiovascular Health Study and err on the conservative
4 side. Their data showed that you could detect a
5 relationship between cognitive function on, say, the
6 modified Mini-Mental or on certain way scores or on the
7 trails.

8 Once the rating exceeded 4, greater than or equal
9 to 5, you could see the step-off occurring. So erring on
10 the conservative side, I tell people, if you are seeing them
11 as demented and you want to ascribe it to white-matter
12 disease, to incomplete infarction, to SIVD, then expect to
13 see greater than or equal to 7 on this which is confluence
14 and extending partly way out into the centrum semiovale.

15 So I think there is a practical way of using the
16 severity of the white-matter lesions.

17 DR. PENIX: Could you repeat that please? 4
18 indicated--you mentioned 4 and 7. I missed the 4.

19 DR. KAWAS: Would you like to put the slide back
20 and maybe do it that way?

21 DR. CHUI: Okay; yes.

22 DR. KAWAS: In the meantime, Dr. Katz and then Dr.
23 Grundman.

24 DR. KATZ: Again, it is the lack of correlation
25 between the white-matter disease and the dementia and this

1 presumed correlation of the hippocampal and cortical atrophy
2 with the severity of the dementia. We haven't seen that
3 data, the correlation between the atrophy data and the
4 degree of dementia.

5 I don't know how robust that database is, but, for
6 argument sake, let's assume that that is very well
7 correlated. I think, ultimately, we would have to sort of
8 see that data but, again, let's assume that is what is
9 correlated.

10 That is the link, as you say, between the
11 white-matter disease--the vascular pathology and the atrophy
12 is at the moment conjecture. It is hypothetical. We have
13 no idea what the link is. It may not be through a vascular
14 route at all. They may just be coincident findings.

15 But when we use a term like vascular dementia, the
16 implication is that there is causative relationship between
17 the two, that it is a specific pathophysiology and
18 pathogenesis of the dementia. That is what I am trying to
19 get my hands on. I am trying to learn what the evidence is
20 that that really is what is causing the dementia.

21 Your question is a fair one. Does it matter
22 whether you call it vascular dementia, or do you call it
23 Alzheimer's dementia. It is a fair one sort of generically,
24 but, for us, it is a critical question. So I am still
25 trying to get a better handle on these correlations and the

1 causative nature of the vascular disease.

2 DR. ROMAN: We were in the same difficulty until
3 CADASIL was described. Fortunately, we have here sort of a
4 natural model of a disease that is characterized by
5 small-vessel changes, that is a granular deposition in the
6 vessels not only in the brain but in the skin and the
7 muscle. This is a condition characterized by recurrent
8 multiple lacunes and then by extensive white-matter lesions.

9 When you do MRIs on the relatives on patients with
10 CADASIL, people who are affected, who carry the same gene,
11 you find that they could be either asymptomatic--they could
12 start having executive function. They could have problems
13 with depression. Or, they finally start showing up the
14 symptoms later on as the disease progresses of an acute
15 stroke or the vascular-dementia picture. And they all end
16 up with vascular dementia, essentially.

17 So we have here a very good model that can tell
18 us--and there are very good correlations made on is it the
19 number of lacunar strokes, is it the extent of the
20 white-matter lesions, that defines the presence of the
21 dementia.

22 But, indeed, I think we need to look at this
23 problem as a continuum that starts with just a couple of
24 lacunar strokes, a little bit of periventricular lesions and
25 extends to the point where the symptoms become obvious, even

1 to the primary-care physician.

2 Part of the difficulty has been, also, that if we
3 continue to use our paradigm of dementia emphasizing just an
4 Alzheimer's disease type, we are not going to make the
5 diagnosis because these patients will go to the urologist
6 complaining of excessive difficulty with nocturia.

7 They may have frequent falls and show up with the
8 orthopods. They have a hip fracture and then become acutely
9 demented after the hip is fixed. So I think we need to
10 emphasize that there is, indeed, a continuum that behaves
11 completely different from Alzheimer's disease and that this
12 is a separate population in terms of the way they progress.

13 Again, until we had CADASIL, we were just
14 presuming that that was the reason. But now we have a
15 marker that allows you to see, in this natural model of the
16 disease, the CADASIL, how you go from one lacune to two and
17 then the extensive white-matter lesions until you finally
18 reach the stage of dementia.

19 DR. GRUNDMAN: Along the lines of shifting left,
20 probably SIVD, the risk factors for this are hypertension,
21 diabetes. But these are also risk factors for larger
22 strokes. So I guess I would wonder--you know, with
23 Alzheimer's disease there is sort of a natural history or a
24 course that one might expect. You go through these various
25 stages. We are arguing about where it begins and where it

1 ends, but, in this case, it seems to me like SIVD could
2 become large multi-infarct dementia at some point.

3 So I am wondering how you are going to deal with
4 that in your nosology.

5 DR. CHUI: Mixed. I call it LIVD and SIVD.
6 Actually, I thought this would be a nice pattern. But when
7 we are looking at the pathology, we see a mixture, actually.
8 There is arteriosclerosis and atherosclerosis. It is not
9 going to come out this neatly. There is going to be mixed
10 vascular Alzheimer's. There is going to be mixed SIVD,
11 LIVD.

12 DR. GRUNDMAN: That is what I suspected. Do you
13 know the proportions of each? I guess, within the context
14 of a trial or the trials that we are talking about, would
15 you be thinking just about doing short-term trials so that
16 the trial would be over before they might have had a larger
17 stroke?

18 DR. KAWAS: I am not sure that is a question
19 easily answered in this context. You can defer and go to
20 the--

21 DR. CHUI: I think the SIVD patient is at risk for
22 LIVD, too, at risk for both a small stroke and a large
23 stroke, absolutely. If we use the surrogate markers like
24 MRI and some of these other measures, we hope we can follow
25 them before they are censored by a large stroke and then

1 their cognitive testing is not going to be meaningful.

2 DR. GRUNDMAN: So would this model work more for,
3 like, a symptomatic treatment than for long-term prevention
4 treatment or do you just use different markers as your
5 endpoint, like larger strokes and worsening dementia or a
6 combination of both.

7 Have you thought about those sorts of outcomes for
8 the different types of agents that might be used?

9 DR. KAWAS: Am I overstepping to say maybe this is
10 something we can take care of in the larger context?

11 DR. CHUI: Later on; yes.

12 DR. KAWAS: Later on.

13 DR. CHUI: I appreciate that.

14 DR. KAWAS: Maybe if you would like to show Dr.
15 Penix the 5 or greater, and then we will have a break, which
16 I think will relieve a lot of people in multiple ways.

17 DR. CHUI: Thank you.

18 [Slide.]

19 This is taken from Longstreth et al., the
20 Cardiovascular Health Study. This is their visual method
21 for rating the severity of white-matter lesions. There is
22 also 0 and 9, but they are not shown here because 0 is
23 defined as less than 1 and 9 is defined as greater than 8.

24 So if you look at 8, you can start there, the
25 periventricular white-matter lesions are well out into the

1 centrum semiovale which looks black there. 7 is described
2 as confluent and extending partly through the centrum
3 semiovale. 6 is confluence around, I guess, the caps there.
4 5, you certainly have a periventricular rim that is
5 extending out, I don't know, at least 10 millimeters or
6 something like that.

7 [Slide.]

8 Then the next slide shows how it is related to
9 cognition. On the X axis is the rating scale. This was a
10 community-dwelling sample so most of these elderly had very
11 little in the way of white-matter lesions. Actually, I
12 don't show the distribution there. Then, on the Y, it is
13 the mean modified mental-state score which is the 3MSSE. It
14 is out of a total of 100 points.

15 And then the two different hatchmarks are for men
16 and women. So you see around 3, you don't really see too
17 much. Once you come down to 4 and 5, you start to see the
18 dropoff. And then 7. So it depends on how you want to cut
19 that.

20 But we were saying, to the family practitioner, if
21 the person is demented, which would be a modified
22 mental-state score of usually about 84, you see it
23 correlates with about a 7. That is how he picked that
24 number.

25 DR. KAWAS: Did you get your question answered,

1 Dr. Penix?

2 DR. PENIX: Yes.

3 DR. GRUNDMAN: Assuming that you have the
4 white-matter changes and that they correlate with the
5 Mini-Mental score or some other cognitive measure, do you
6 have a predicted rate of progression that you might expect
7 to see in these patients?

8 DR. CHUI: We are just working on that now. In
9 the program project, we are starting to get into that
10 longitudinal phase.

11 Coming back to the issue of the correlation, the
12 hippocampal and cortical atrophy explain about 40 to
13 50 percent of the variance in the overall severity of
14 dementia if you use the CDR. If you use neuropsychological
15 testing, it is about the same.

16 If you do a multiple regression analysis, the
17 white-matter lesions don't add much more above that. But if
18 you do a different type of stepwise multiple regression and
19 you put white-matter lesions in first, you will see the
20 correlation between the white-matter lesions and the
21 severity of dementia as well.

22 The white-matter lesions correlate with the degree
23 of cortical atrophy so that they are probably linked in
24 steps. So I think the white-matter lesions is part of the
25 thing that is driving this overall process. But the

1 stronger predictor is the atrophy.

2 DR. DUARA: Helena, could I ask you, these were
3 not pathologically proven to be free of Alzheimer's disease,
4 these cases that were--

5 DR. CHUI: No; that's correct.

6 DR. DUARA: There relationship between cognition
7 here and the white-matter changes that you show could be
8 related to all kinds of diseases; is that true?

9 DR. KAWAS: Unless I am mistaken, those people
10 weren't necessarily demented. Those were just examples from
11 the CHS study, I believe.

12 DR. DUARA: But you did show the relationship to
13 cognition. So I am just saying that that relationship that
14 you are seeing to cognition in those cases does not
15 necessarily reflect the effect of the white-matter changes
16 that we see on cognition. It can reflect multiple other
17 pathologies.

18 DR. DUARA: That is the point I was trying to
19 make.

20 DR. ROMAN: There is a strong correlation with
21 age. With aging, you see an increase in the prevalence of
22 this white-matter diseases.

23 DR. DUARA: As a follow-up to that, if I can just
24 elaborate on the gradings that you have there. In our brain
25 bank, for the patients that were evaluated with MRI and

1 where MRI was graded, if I just loosely use that scoring
2 system, patients were diagnosed to have Alzheimer's disease,
3 they may have had a small vascular component. There are
4 very few--well, there are some, but a relatively small
5 number of people who had pure Alzheimer's disease with
6 nothing in the brain.

7 But patients who had predominant Alzheimer's
8 disease, only about 10 percent would have gone into grade 1.
9 90 percent would have at least grade 2 and some of them
10 would have gone right up to grade 8, without significant
11 vascular disease. There would be a vascular component,
12 presumably, but it was predominant Alzheimer's disease.

13 DR. KAWAS: The mean age of your sample?

14 DR. DUARA: In the mid-seventies.

15 DR. CHUI: We had a case that I thought was
16 vascular dementia because it had grade 8 white-matter
17 lesions and, on pathology, showed Alzheimer's disease and
18 amyloid angiopathy.

19 DR. KAWAS: On that note, I think we will take a
20 break until 10:30. We will reconvene then. Thank you, all
21 the speakers and panelists.

22 [Break.]

23 DR. KAWAS: Welcome back to the Peripheral and
24 Central Nervous System Drugs Advisory Committee. Our next
25 invited presentation is Dr. Ranjan Duara from Mt. Sinai in

1 Miami Beach, Florida. He will be talking to us on
2 White-Matter Disease and in Progressive Dementias; is it
3 Vascular or Degenerative.

4 Vascular Dementia: Factors Influencing Diagnostic Accuracy

5 DR. DUARA: Actually, there has been a change in
6 the title of my talk.

7 [Slide.]

8 I think I have said quite enough about
9 white-matter disease so I am planning to address, in this
10 talk, factors influencing diagnostic accuracy for vascular
11 dementia. It is a question that Dr. Katz has asked
12 virtually nonstop this morning.

13 DR. KAWAS: He is worried he is not going to get
14 an answer, either. So I am glad you are going to give it a
15 shot.

16 DR. KATZ: I hate to be a noodge, but that is why
17 they pay me.

18 [Slide.]

19 Amongst my collaborators, I would like to point
20 out the one listed at the bottom, Dennis Dickson, who is the
21 pathologist who did the pathology on all the cases that I am
22 going to be describing. If you don't know Dr. Dickson, he
23 is basically a dementia pathologist, Alzheimer's disease and
24 Lewy-body disease I guess are the areas that he has worked
25 in most.

1 I was just asking Dr. Roman if there was somebody
2 who was considered a world-wide expert in the pathology of
3 vascular dementia. I am not sure that there are in the way
4 that we associate with Alzheimer's disease, whether there is
5 a pathologist that stands out at this point.

6 But, in any case, I would say that, amongst people
7 who look at dementia from a pathological standpoint, that
8 Dennis Dickson is quite prominent in the field and every
9 person that looks at dementia has to evaluate the
10 possibility that this is vascular and try to exclude it or
11 include it, as the case may be.

12 [Slide.]

13 What I am going to describe to you is the data
14 that we have accumulated over the past ten years or so in
15 the State of Florida Brain Bank. Dr. Dickson became the
16 pathologist for this around 1995, so I am only going to be
17 describing the cases that he has personally evaluated and
18 graded in terms of whether they have Alzheimer's or vascular
19 dementia or any other type of pathology.

20 As an overview, you can see here that the
21 frequency of Alzheimer's and vascular dementia are quite
22 different, as you might expect, and there is very little
23 change amongst the different age groups here, listed below
24 60 and so forth. For Alzheimer's disease, it is pretty
25 constant. It is about 75 percent.

1 For vascular dementia, those below the age of 60
2 had only 6 percent vascular dementia. And then it increases
3 up into the seventies and then there seems to be a slight
4 decline. But these are not significant changes except for
5 the early versus the--below 70 and above 70, there is a
6 difference in frequency for vascular dementia.

7 The total number of cases of vascular dementia
8 that we have in this study is 52. Of those, only fifteen
9 had what was considered pure vascular dementia in the
10 parenthesis. So--yes?

11 DR. KATZ: I'm sorry; I just have a question, a
12 clarification question. When you say Alzheimer's dementia
13 or vascular dementia, you mean that was a clinical diagnosis
14 or that is a pathological diagnosis?

15 DR. DUARA: No, no; I'm sorry. I should have
16 specified. This is the pathological diagnosis.

17 DR. KATZ: What were these people diagnosed as in
18 life?

19 DR. DUARA: I will be coming to that.

20 [Slide.]

21 The postmortem diagnosis of Alzheimer's disease,
22 there was a gender difference in this group. In the older
23 age groups, there was a predominance of women, females to
24 males. In Lewy-body dementia, there was a predominance of
25 males both below the age of 70 and above the age of 70.

1 But, for vascular dementia, there was not, actually, any
2 significant age difference. But that may also be related,
3 in part, to the numbers.

4 [Slide.]

5 I am just reviewing here some of the largest
6 studies, postmortem studies, brain-bank studies, if you
7 will, on dementia. What was found in terms of the frequency
8 of vascular dementia--and you can see that it varies
9 tremendously. I think, as we discussed yesterday with the
10 different causes of mild cognitive impairment, it really
11 depends on your referral population, what your setting it,
12 what the frequency of the dementias, of the different
13 etiologies of dementia, is going to be.

14 For example, Brun, in his study, 70 percent of his
15 cases had mainly vascular dementia and 34 percent were pure.
16 In our study, only 16 percent. In Galasko's study from the
17 Alzheimer's Disease Research Centers show a 9 percent
18 frequency of vascular dementia and only 2 percent were pure
19 vascular dementia.

20 So there is a very varying frequency.

21 [Slide.]

22 The accuracy of the clinical diagnosis depends on
23 how the clinical diagnosis was made. I am showing you
24 different types of studies. The specificity, in general, is
25 very high for vascular dementia. The problem is the

1 sensitivity which is not high.

2 In the study at the bottom that you show that we
3 looked at, and this is not really published at this point,
4 only 9 percent were diagnosed premortem to have vascular
5 dementia. But this was not--and I specify this was not--a
6 diagnosis made by neurologists, necessarily. This was what
7 a community physician or neurologist or psychiatrist,
8 whoever had followed that patient and whose records we had,
9 had labeled this patient to have.

10 I included this only to show you what the
11 community diagnoses, at least in Florida, as vascular, how
12 frequently they diagnosis vascular dementia and what their
13 hit rate is, so to speak, for this entity.

14 Gold, for instance, used different criteria and
15 those are the accuracies, the sensitivities and
16 specificities. So I think, in answer to some of Dr. Katz's
17 questions, you could look at the different criteria, the
18 Alzheimer's Disease, the Research Centers, the Hatchinski
19 Ischemic Score and the NINDS/AIREN criteria.

20 There, again, you see, in general, the specificity
21 is high but the sensitivity is relatively low.

22 DR. KAWAS: Dr. Duara, can I ask you--I mean, when
23 you get this 9 percent, it is presumably 9 percent of
24 whatever the gold standard was detected.

25 DR. DUARA: Right.

1 DR. KAWAS: The gold standard is pathology. But
2 how much pathology did it take to fall into that
3 denominator? If a brain has one lacune? Five lacunes?
4 What was the pathology criteria for calling this vascular?

5 DR. DUARA: I have asked Dr. Dickson what his
6 criteria are. They have been pretty constant throughout the
7 evaluation period. He requires, basically, the lack of
8 other pathologies and what he calls major vascular disease,
9 or disease affecting crucial areas including the thalamus,
10 basal ganglia structures.

11 If I try to get more specific about it, I really
12 can't because he is making the diagnosis partly by the
13 exclusion of other pathologies, or relatively little other
14 pathologies, what he considers is a significant load of
15 vascular disease. This might be microvascular as well as
16 overt lacunar infarcts or large-vessel infarcts.

17 DR. KAWAS: That means that, with his definition
18 of pathology, 91 percent of people who have no other
19 significant pathology other than vascular disease were
20 missed by the clinicians?

21 DR. DUARA: Right.

22 DR. KAWAS: Thanks.

23 DR. CHUI: May I, while we are on this slide,
24 Ranjan, just point out that the Gold paper, in 1997, didn't
25 include neuroimaging in 80 percent of the cases. Or, to say

1 it the other way, there was only neuroimaging in 20 percent.
2 So it is really not a fair test of the ADDTC or the AIREN
3 criteria which require imaging.

4 The title of the paper is correct. It says
5 something about the possible vascular dementia, which
6 doesn't require imaging.

7 DR. DUARA: Thanks for pointing that out.

8 [Slide.]

9 In our brain bank, you can see the mixtures of
10 different pathologies. I think this might address, in part,
11 what you were asking about how the diagnosis was made, but
12 not really fully. Mixed pathology in the two dementias, you
13 can see that about 64 percent in patients who were diagnosed
14 to have Alzheimer's disease pathologically, 64 percent were
15 pure.

16 Diffuse Lewy-body disease was coexistent in
17 21 percent. Vascular dementia, or vascular disease, was
18 coexistent in 13 percent. And then there were other
19 pathologies including hippocampal sclerosis.

20 In the vascular group, 63 percent had coexisting
21 Alzheimer's disease and only 27 percent were pure and then
22 10 percent had other pathologies. So this is the problem
23 with the diagnosis of vascular dementia. Most of the time,
24 it is not pure whereas, in the majority of the Alzheimer's
25 cases, the disease was considered to be pure Alzheimer's

1 disease.

2 [Slide.]

3 Again, trying to answer the question that Dr. Katz
4 has addressed, what are the problems that we are faced with
5 with trying to make this diagnosis of vascular dementia?
6 When we look at sensitivity, we are looking at the
7 proportion of people who are affected, how many people are
8 detected by the test in the total population of affected
9 individuals.

10 With specificity, we are looking at the proportion
11 of unaffected people, the negative test. Probably the best
12 indication of how a criterion or a test works is the
13 positive predictive value, which is the proportion of
14 patients--which is the clinical question that we all want to
15 ask--the proportion of patients with a positive test who are
16 found to be affected with that disease that the test is
17 supposed to positive for.

18 [Slide.]

19 Here is the problem that we are faced with. With
20 Alzheimer's disease, in red, the sensitivity of the
21 diagnosis is about 90 percent. The specificity is
22 60 percent. It is not a very good specificity. But it is
23 not that much of the problem in the overall accuracy, the
24 positive predictive value, for Alzheimer's disease because
25 the prevalence of Alzheimer's disease is high.

1 So one has to factor in the positive predictive
2 value. Prevalence of the illness becomes a factor in that
3 equation. So, for Alzheimer's disease, because the
4 prevalence is high, at least in the United States and
5 probably most of the Western world, the positive predictive
6 value is around 85 to 90 percent.

7 For vascular dementia, the specificity is high.
8 The sensitivity is not high. Actually, the curve goes above
9 because the specificity drives this more than the
10 sensitivity. The curve is actually above the Alzheimer
11 curve. However, the problem is the prevalence. The
12 prevalence is low and so the overall positive predictive
13 value goes down to about 50 percent. That is the problem we
14 are dealing with.

15 DR. KAWAS: This data is with which criteria for
16 vascular dementia?

17 DR. DUARA: This is whatever criteria you use. We
18 are just using means of different studies looking at the
19 overall sensitivity and specificity for the two diagnoses
20 and seeing how that plays out in the real world. When you
21 make the diagnosis, what is the predictive value.

22 DR. VAN BELLE: I think you are going to have
23 define prevalence a little bit more carefully. You don't
24 mean prevalence in the general population.

25 DR. DUARA: No; excuse me.

1 DR. VAN BELLE: You must mean prevalence in some
2 kind of a clinical series that you get.

3 DR. DUARA: It is a relative prevalence; excuse
4 me. I should have specified that.

5 DR. VAN BELLE: Because, for example, if the
6 prevalence of Alzheimer's is, say, 1 in 10, which is very
7 high--that is not realistic--now, you are way down on the
8 left-hand side of your graph there. If the vascular
9 dementias are still lower, probably by a factor of another
10 10, then you basically have zero predictive value of a
11 positive test.

12 DR. KAWAS: I am not sure prevalence is the term
13 you mean. Perhaps, you mean the proportion of demented
14 subjects with each diagnosis.

15 DR. DUARA: Right; if you looked at, for example,
16 the series that we looked at in this brain bank, the
17 relative proportion of--yes; I think you right. The
18 relative proportion of Alzheimer's versus vascular dementia
19 that one would normally see.

20 Thank you very much.

21 DR. KAWAS: Thank you. The floor is now
22 continuing to be open for questions. Dr. Katz?

23 DR. KATZ: If we could just see the first slide
24 again. I am just trying to get a sense of this prevalence
25 question. Again, the prevalence of a particular disorder

1 will depend on not only the sample but the diagnostic
2 criteria, whether you are talking about pathology or
3 clinical diagnosis.

4 [Slide.]

5 These are all the brains that came to the brain
6 bank?

7 DR. DUARA: Yes. I think it is a fair question,
8 in what context were these autopsies done. We have a State
9 of Florida Brain Bank for dementia. It is not for
10 Alzheimer's disease specifically. It is funded by the state
11 and there is a recruitment program. There are currently
12 thirteen memory-disorder clinics that are funded by the
13 State of Florida all over the state.

14 Each memory-disorder clinic has a mandate, as part
15 of their funding, to recruit patients for autopsy regardless
16 of diagnosis as long as they have a diagnosis of dementia.
17 So this is in the context in which we accumulated these
18 cases.

19 In addition to that, private practitioners mainly
20 in larger metropolitan areas become aware of the presence of
21 the brain bank, perhaps through autopsy done on one of their
22 other patients, and then refer patients directly. So there
23 are patients that are not necessarily directly from the
24 memory-disorder clinic.

25 But the large majority come from the

1 memory-disorder clinics. It is not an Alzheimer program.

2 It is a dementia program. I just want to specify that.

3 DR. KAWAS: Perhaps this is an extension to that
4 question. All of these studies of frequency in a brain bank
5 or frequency of diagnosis in a nursing home are interesting,
6 but they have a lot of variability and none of them speak to
7 the true question of prevalence; that is, how common is
8 vascular dementia out in the population.

9 Do we have any epistudies or what are our best
10 estimates from community-based samples that, perhaps,
11 someone on the panel can tell us?

12 DR. GORELICK: One of the issues is your age. So
13 if you look at elderly people in Sweden, the Goteborg study,
14 you get a very high prevalence of a vascular component to
15 dementia whether it is mixed or pure. That overtakes
16 Alzheimer's disease.

17 In some of the older studies from Asia, although
18 these things are changing now, it would be more common to
19 find what they were calling vascular dementia than it was
20 Alzheimer's disease. So I think this is going to be very
21 much age-dependent, dependent on what region of the world
22 you are dealing with and then the underlying assumption is
23 that, if you have populations at very high risk for strokes
24 that you are going to start seeing more vascular dementia.

25 But it does vary. These are the real epi--well,

1 you are going to get about 50 percent or more in 85-year-old
2 Swedish men, just to give you an idea from Skoog's study, if
3 you put together the patients who have pure and mixed, what
4 they thought were pure and mixed cases.

5 Again, there is going to be a majority--there had
6 been publications of a majority in the Asian countries of
7 vascular dementia at a time. Again, there are questions of
8 how that data was adjudicated. There were all kinds of
9 biases that may have gotten into the adjudication.

10 If you talk to Lon White about what goes on in the
11 Honolulu Asia Aging Study, which was the Honolulu Heart
12 Program, and getting the pathologists to agree that this
13 wasn't vascular dementia because it was more of an honor to
14 die of a brain death from vascular dementia than other
15 things.

16 There are all kinds of factors like that that get
17 mixed in there. So I think the good epidemiologic
18 studies--if you look at the Eurodem experience, for
19 prevalence, it very mirrors what you are seeing here and
20 hearing about here, that you have a lot more Alzheimer's
21 disease in Eurodem than you did have vascular dementia. It
22 makes up a small proportion of the prevalence.

23 But then there may be certain target populations
24 that are at high risk.

25 DR. KATZ: The prevalence numbers that you said,

1 those are all pathology diagnoses, or clinical?

2 DR. GORELICK: These are epidemiologic studies so
3 this is population-based data or cohort-based data and,
4 generally, they are not backed by pathology.

5 DR. KAWAS: In fact, if I am not mistaken, the
6 studies that have the highest estimates, like the Skoog
7 study, basically rely on making a clinical diagnosis because
8 there was some evidence of vascular disease on an imaging
9 procedure. The individuals in the Skoog study were over the
10 age of 85. Since we know there is a strong correlation, it
11 is not clear to me that all those individuals really should
12 have been considered as vascular dementia as much as
13 demented and had some lesions on CT, potentially.

14 Another thing that was notable in the slides that
15 were put up was that almost--I mean, I usually use the
16 estimate one-half of vascular dementia cases have
17 Alzheimer's pathology. But, actually, we were shown even
18 higher numbers from the brain bank in Florida. It was more
19 like three-quarters of the vascular-dementia cases had
20 Alzheimer's pathology.

21 DR. DUARA: 64 percent.

22 DR. KAWAS: Which is two-thirds. So, when we
23 identify three people with vascular dementia, two of them
24 have concurrent Alzheimer's disease and one of them doesn't
25 is roughly the statistics from your site, at any rate.

1 DR. GRUNDMAN: Just following up on that, if I
2 understood you correctly, when you showed the curve with the
3 positive predictive value, so when you called the person
4 vascular dementia, there was a 50 percent chance that you
5 were right; is that correct?

6 DR. DUARA: That's right; yes.

7 DR. GRUNDMAN: Of the cases that you were wrong,
8 how many of those had vascular pathology in addition to
9 their Alzheimer pathology?

10 DR. DUARA: I can't really answer that question.
11 I think if you look at just the overall rates of what
12 patients were with Alzheimer's disease, in terms of vascular
13 pathology, that should give you some sort of idea.

14 DR. GRUNDMAN: So probably--

15 DR. DUARA: About 25 percent.

16 DR. GRUNDMAN: So, in 75 percent of the cases
17 where you called a person vascular dementia, they actually
18 had either pure vascular dementia or mixed?

19 DR. DUARA: Right.

20 DR. GRUNDMAN: That is not too bad. That is
21 getting close to the 80 percent that we were looking at with
22 Alzheimer's disease. So the question is what sort of
23 criteria were you using for vascular dementia when you made
24 your diagnoses.

25 DR. DUARA: The slide that I showed you was a

1 hypothetical slide. We haven't actually done a clinical
2 pathological correlation study as such. What I showed you
3 for the 9 percent was just the referral diagnosis, what the
4 referring physician had said he or she thought was the
5 diagnosis.

6 DR. GRUNDMAN: Okay. So we don't actually know
7 what the criteria were for--

8 DR. KAWAS: No.

9 Our next invited presenter is Dr. Philip Gorelick
10 who will be talking to us about Background and Potential
11 Strategies for Prevention of Vascular Dementia. He is from
12 Rush Medical College.

13 Background and Potential Strategies
14 for Prevention of Vascular Dementia

15 DR. GORELICK: I want to thank the committee for
16 inviting me today. My background is in preventive neurology.
17 I am the upstream person. I want to be where all the damage
18 is just beginning to start. I don't like to be downstream
19 where all the wrecks are. I have proven that to myself over
20 time.

21 [Slide.]

22 I am going to be talking about cardiovascular risk
23 factors and their prevention, and the prevention of vascular
24 causes of cognitive impairment.

25 [Slide.]

1 Just as a review, I just want to remind you that
2 stroke is the second leading cause of death in the world.
3 It is estimated there are about one-third of the stroke
4 mortalities in the developed countries and about two-thirds
5 in the developing countries. So this is a huge problem not
6 only in developed countries but in developing countries.

7 [Slide.]

8 We clearly have modifiable risk factors for
9 stroke. They are both medical and life-style, and you can
10 see some of them listed such as hypertension, atrial
11 fibrillation, smoking, heavy alcohol consumption and diet.

12 [Slide.]

13 I made some calculations a number of years ago
14 about the population attributable risk; that is, what
15 percentage of stroke would be explained by these modifiable
16 risk factors. Clearly, as you can see here, up to about
17 49 percent of stroke is explained by hypertension, making
18 hypertension the crown jewel of the modifiable risk factors.

19 Interestingly enough, if you look at
20 cardiovascular risk factors, even though we have identified
21 all these risk factors, we only explain about 50 percent of
22 the variance. So there is about another 50 percent of
23 cardiovascular disease we need to explain.

24 [Slide.]

25 If you are looking for the fountain of youth,

1 there may be some signals from the Honolulu Asia Aging
2 Program which we have all been alluding to. Those men who
3 lived I guess a healthy lifestyle and ended up being free of
4 physical and cognitive impairment in older age didn't have
5 high blood pressure, didn't have high glucose, weren't
6 smokers and weren't obese. So there may be a lesson here of
7 a signal that may be important to us.

8 [Slide.]

9 I certainly acknowledge there is skepticism of
10 vascular dementia. I am bringing coals to Newcastle after
11 we have heard all these discussions. But, clearly, stroke
12 could unmake latent Alzheimer's, as we heard. AD brain
13 pathology is common in the elderly. The cases may be mixed
14 and some have claimed that vascular dementia is
15 overdiagnosed.

16 [Slide.]

17 We have also heard that there may be preexistent
18 dementia. Again, this gets into this overlap or mixed
19 issue. This happens to be one study that showed about
20 one-sixth of the cases had preexisting dementia. Most of
21 these would have been Alzheimer cases.

22 [Slide.]

23 On the other hand, I don't think we can ignore
24 vascular dementia, or the vascular cognitive impairment or
25 vascular component. This is from the Finnish data. You

1 have seen some of this already. If you look at the Finnish
2 data and some of the other studies such as Desmond and
3 Tatemichi's work, what you find is that about 25 to 30
4 percent of these patients have dementia associated with the
5 stroke.

6 [Slide.]

7 Furthering the theme, the Nun study, which you
8 heard about, and the Nun study showed that those who had AD
9 neuropathology, and, again, these are individuals who had
10 special life styles. They had the same diet and so forth
11 and many of them lived to older age.

12 What you see is that, if they had AD
13 neuropathology and brain infarcts, they had poorer cognitive
14 function and an increased prevalence of dementia. Dr. Roman
15 has reviewed that. On the other hand, those who didn't have
16 AD neuropathology and had infarcts, there was only a weak
17 association with poor cognition.

18 Clearly, if you start developing atherosclerosis
19 of the circle of Willis, you are more likely to get
20 infarcts. So this, again, emphasizes the importance of
21 vascular changes in the brain and dementia.

22 [Slide.]

23 Are there any links between Alzheimer's disease
24 and vascular changes. The answer is yes. There have been a
25 number of publications that are showing such things, of

1 course, as cerebral amyloid angiopathy, as we have all heard
2 of. There is degeneration in the endothelium and there are
3 possible effects of amyloid on the endothelial vessels, and
4 so on and so forth.

5 [Slide.]

6 So there are some changes, vascular changes, that
7 you do see in Alzheimer's disease. Then, one of the
8 question is how is that possibly leading to--these vascular
9 changes leading to changes in the brain of the Alzheimer
10 patients. While there have been a number of hypotheses that
11 have been offered, one of is that ischemia accelerates AD by
12 formation of free radicals and that beta amyloid may do the
13 same thing.

14 A very interesting one has to do with
15 angiotensin II, that this may impair learning. It may be
16 higher in Alzheimer's brains. That would certainly be
17 another vascular factor that could contribute to cognitive
18 impairment and decline in Alzheimer patients.

19 [Slide.]

20 I did an evidence-based review in 1997 about
21 possible risk factors for vascular dementia. Clearly,
22 certain factors kept popping up in the available studies.
23 Again, some of these studies were--there were rather few
24 studies at the time and things like age, race and sex and
25 education level.

1 But if you looked at the potentially modifiable
2 factors, the ones that you would see as stroke risk factors
3 also came up as risk factors for these patients who had what
4 was called vascular dementia. So, hypertension, cigarette
5 smoking, myocardial infarction, diabetes, high cholesterol,
6 heavy alcohol consumption and so on.

7 [Slide.]

8 What is also very interesting to me, as one who is
9 in preventive neurology, is that these same risk factors are
10 starting to rear their heads in the Alzheimer studies. For
11 example, this is from the Rotterdam study. What they found
12 is that diabetes, atrial fibrillation, smoking and carotid
13 plaques were associated with Alzheimer's disease. They
14 later showed that hypertension is another factor that has
15 been associated.

16 [Slide.]

17 To turn to this idea of redefining vascular
18 dementia, I am certainly in the Hatchinski camp on this. I
19 think that we really should be talking about dementia
20 associated with stroke and, specifically, vascular cognitive
21 impairment because this whole idea of vascular dementia may
22 be too generic and too restrictive.

23 I think we have to be a little more open-minded
24 about all of this. Vascular cognitive impairment really
25 leaves the idea that there is a spectrum. You could have

1 very mild cognitive impairment. You can go on to full-blown
2 cognitive impairment.

3 [Slide.]

4 I wanted to focus now on one of the risk factors
5 because I think there is a possibility for a unifying
6 hypothesis here. Again, this is being very, very upstream.
7 I want to show some slides about hypertension because it may
8 be very important in the dementia process.

9 This is data from Sweden. This was actually a
10 population-based cohort, but you are seeing cross-sectional
11 data here. These were people at age 70. They were men who
12 had 24-hour ambulatory blood pressure in various metabolic
13 studies and then they had some cognitive testing done as
14 well.

15 Basically, what happened here is that there were
16 predictors of impaired cognitive performance in this group
17 which included high diastolic blood pressure, high 24-hour
18 blood pressures, non-dipping and insulin-resistance in
19 diabetes.

20 So what you are seeing here is people who have
21 these risk factors, the traditional cardiovascular risk
22 factors, may be at risk of having cognitive impairment. If
23 you are wondering what non-dipping is, as you go to sleep,
24 your blood pressure is supposed to drop some. Those who
25 have hypertension, it may not drop at night. The normal

1 nocturnal dip may not be there. These are the people who
2 think are going to get in trouble, as I will show you
3 shortly.

4 The other group is people who have an exaggerated
5 dip at nighttime and those are your hypoperfusers that you
6 have been hearing about.

7 [Slide.]

8 The studies go on. This is the Goteborg study by
9 Skoog. What was interesting, if you look at age 70 at
10 elevations in blood pressure, whether it is systolic or
11 diastolic blood pressure, it predicted dementia in 79 to
12 85-year-olds. If you looked at increase in diastolic blood
13 pressure at age 70, by 75, it predicted both AD and the
14 vascular form of dementia.

15 Of course, the increase in blood pressure
16 increased the white-matter lesions. If you look at some of
17 the other studies, you find the same thing in some of these
18 cohorts over time, that specifically blood pressure and some
19 of the other cardiovascular risk factors are predictors or
20 cognitive decline later on.

21 [Slide.]

22 Very interesting to me is what we are all doing in
23 mid-life. This is from the NHLBI Twins Study. What they
24 did here was took monozygotic twins at about age 45 or so,
25 followed them out 25, 30 years. What they showed by very

1 sophisticated MR technology, and Charlie DeCarli has been
2 heading up this effort, there were low brain volumes in the
3 twin that had elevated systolic blood pressure at baseline.

4 When they did these studies some twenty-five years
5 later, they found that the brain volumes were smaller in the
6 twin that had elevated blood pressure, coronary heart
7 disease and some of these other factors, and that
8 white-matter hyperintensities were being predicted by
9 elevation in systolic blood pressure and such other factors
10 as glucose intolerance and low HDL.

11 [Slide.]

12 If you track these people in the study, what you
13 find is that, over time, they start developing cognitive
14 changes and there is reduced verbal learning and memory.
15 So, as time is going on, and you have hypertension, it
16 appears that it may be eating away at the brain, so to
17 speak.

18 [Slide.]

19 What is very, very interesting is the Syst-Eur
20 Trial that was conducted in Europe. This is a study that
21 used a long-acting calcium channel blocker called
22 nitrendipine. What you see here is that people were
23 followed with Mini-Mental-State exams. If they had
24 significant changes, they would be followed into a protocol
25 where the DSM IIIR criteria was used.

1 What was very interesting in this small number of
2 outcome events was that, in the placebo group, there were
3 twenty-one cases of dementia and in the treatment group,
4 there were eleven cases of dementia. So, what basically
5 happened, there was a reduction of about 50 percent of the
6 dementia.

7 Interestingly enough, when you looked at the
8 subtyping of the dementia cases, according to DSM IIIR, most
9 of these cases that were spared were Alzheimer's disease
10 cases.

11 [Slide.]

12 I have done some population attributable-risk
13 calculations for some of these risk factors as they related
14 to vascular dementia. I would be happy to share them with
15 the committee if you would like. I have got an overhead
16 and, if anybody wants to see it--but, basically, what it
17 shows with the population attributable-risk data is what you
18 might expect from hypertension. It is about 67 percent or
19 so of the attributable risk.

20 So it is much higher than all the factors. The
21 other factor that came in in the number-two position was
22 hypercholesterolemia, specifically LDL. That was about 33
23 or 36 percent.

24 [Slide.]

25 I want to bring up the PROGRESS Trial because that

1 is a trial that is out there and adds to this whole theme of
2 prevention of cognitive impairment. This is a case that is
3 enriched. This is a study that is going on predominantly in
4 Europe, the Asian-Pacific rim, AustralAsia are. They are
5 looking at an ACE inhibitor, perindopril.

6 It is an enriched study because they have patients
7 who have TIA and strokes, ischemic strokes. They are not
8 only randomizing people with hypertension to the
9 ACE-inhibitor treatment but they are also taking people who
10 don't have hypertension.

11 That study is going to be--the results are going
12 to be announced in June. So I think this is going to be an
13 important study that may give us an idea of an enriched
14 sample of people at high risk, what might be our
15 calculations, our power calculations, for subsequent
16 studies.

17 I want you to keep in mind that the ACE inhibitors
18 not only lower blood pressure but they probably protect the
19 vascular endothelium. So they have more than one effect
20 which might be very important, especially if the
21 angiotensin 2 is really elevated in a number of those
22 patients with dementia.

23 [Slide.]

24 So my bottom line here is that I think we ought to
25 be really trying to get at the source of where these

1 problems occur. The source starts when you start developing
2 the risk factors. I think that shifting the paradigm over
3 to the left even a bit more than Helena has done might be
4 useful.

5 So I think that one of the focuses should be
6 hypertension and its treatment and I do think we have
7 testable hypotheses based on the Syst-Eur Trial and what is
8 going to come out in the progress study.

9 [Slide.]

10 Then, finally, there is another exciting
11 possibility with cholesterol-lowering agents, specifically the
12 statins. We are now seeing some observational type of data
13 that suggests that people who are on statins may have lower
14 risk of developing dementia. Again, this would also be a
15 testable hypothesis.

16 What is very exciting about this is that this is
17 another drug that has more than one function. It not only
18 lowers cholesterol, this class of drugs, but it also serves
19 in other capacities and that would be to reduce
20 inflammation, stabilize the endothelium and so on. So this
21 may be another exciting possibility.

22 Finally, I think that if we are going to be doing
23 these studies, we are going to have to high-powered
24 neuroimaging that Dr. Chui is doing in her study that we are
25 doing in one of ours. I think that is going to be very,

1 very important so it is going to help us sort out what some
2 of the mechanisms are and what some of the underlying
3 disease is.

4 Thank you.

5 DR. KAWAS: Thank you, Dr. Gorelick.

6 The floor is now open for questions.

7 DR. KATZ: Just a clarification. On the Syst-Eur
8 study, 21 patients develop dementia on placebo and 11 on
9 drug. From a clinical point of view, what was the nature of
10 those dementias? Were they Alzheimer's? Were they
11 vascular?

12 DR. GORELICK: These cases were largely Alzheimer
13 patients when they came to final adjudication. They were
14 adjudicated by specialty neurologists, according to the
15 paper, or specialty physicians in dementia. It is a savings
16 of about 19 per 1000 over five years. That is what the
17 difference has boiled down to.

18 But, interesting enough, they were predominantly
19 Alzheimer cases.

20 DR. PENIX: Did they look at just conversion to
21 dementia or did they look at cognitive scales as well?

22 DR. GORELICK: This is a study that used the
23 Mini-Mental State exam as a screen. Once you dropped below
24 the magical cut point of 24 or 23, then you were shunted
25 into another protocol and the physicians had to put them

1 through studies including imaging and to meet DSM IIIR
2 criteria to establish a diagnosis.

3 Again, this was a pre-planned substudy that was
4 done and organized at the inception.

5 DR. KAWAS: Dr. Gorelick, every time somebody
6 shows us risk factors for vascular dementia, they put up a
7 list that is, to my mind, risk factors for cerebrovascular
8 disease, period. Has there been any indication in the
9 literature of the difference in those two risk-factor sets?
10 Is there any risk factor that is more indicative, or more
11 related, or more potent in making somebody develop dementia
12 with vascular or just--

13 DR. GORELICK: I think so. I think that the two
14 that keep popping up, and, again, this area has been
15 relatively understudied compared to Alzheimer's disease.
16 There are not many of us out there that are doing the
17 studies. But, certainly, hypertension and diabetes, those
18 keep popping up.

19 I can show you the population attributable-risk
20 data if you are interested.

21 DR. KAWAS: You think hypertension and diabetes is
22 more related to dementia with vascular disease than just
23 vascular disease alone?

24 DR. GORELICK: Oh, no, no, no. My interest in
25 this whole area began in the 80s when the Dean of the School

1 of Public Health sat down with me and said, "I don't think
2 there is anything such as vascular dementia." Of course, I
3 nearly fell off my chair because I had just got done
4 training with Lou Kaplan. We learned our neurology stroke
5 by stroke.

6 So, as I am falling off the chair and gagging and
7 gasping for air, he is telling me there is no vascular
8 dementia and challenged me to do a study. That is how I got
9 involved in my first case-control study on this topic.

10 So your question, again?

11 DR. KAWAS: Actually, my very first abstract in my
12 career was on risk factors for vascular dementia. They were
13 no different than the risk factors for stroke.

14 DR. GORELICK: What Jacob Brody set me on to at
15 the time was to go look at some information that was being
16 published out of the UK. The assumption was that if you had
17 risk factors for stroke, they would be the same risk factors
18 for vascular dementia.

19 So I generally assumed that that would be the
20 case. And then Brody said, "What is the data out there?"
21 and I said, "There is very little." So he said, "Prove it."
22 So that is how we got started.

23 Again, if you look at the Honolulu Asia Aging
24 Study, some of the Canadian cooperative studies, the studies
25 that we have done, Tatemichi's studies and Desmond's studies

1 and all the rest, and, again, it is only basically one or
2 two handfuls, the things that keep popping up are the
3 traditional cardiovascular disease risk factors.

4 I am not sure we can say that it is more important
5 in general stroke as compared to vascular dementia.

6 DR. KAWAS: So, does that mean that in drug
7 development and treatment paradigms, every time everyone
8 tells us we need to shift to the left, they are talking
9 about the therapies that we already have been promoting for
10 cerebrovascular disease and there is really nothing any
11 different.

12 DR. GORELICK: Right. These are therapies that
13 are not being utilized very well in the population. If you
14 believe the NHAINES data, for example, only about 27 percent
15 of hypertensives are well controlled. If you look at the
16 curves that NHAINES is showing now, as we got into the '90s,
17 the curves are starting to go in the wrong direction in that
18 we are seeing a drop-off of awareness, treatment and
19 control.

20 The problem I have is that if you start treating
21 blood pressure in mid-life, which you need to do on an
22 individual basis, that is a very, very expensive
23 proposition. I think the exciting thing is that there may
24 be this unifying hypothesis between what we are calling
25 vascular cognitive impairment and vascular dementia and

1 Alzheimer's disease.

2 If that is truly the case, then the control of
3 blood pressure, whether it is on an individual
4 high-risk-strategy basis or if it is on a mass basis in the
5 population might be very effective down the road.

6 DR. PENIX: I support that. I think that is a
7 missing area in what I thought was in regards to vascular
8 dementia. It may actually also serve to decrease the
9 incidence or conversion to Alzheimer's disease.

10 But in our clinic, we begin to look at the data in
11 our memory-assessment clinic at Grady in Atlanta, and
12 65 percent of our patients come in with uncontrolled
13 hypertension, and 24 percent of the patients have stage
14 II and stage III which is an advanced hypertension.

15 So, clearly, it is a problem. I think if we can
16 get a handle on that, we may be able to decrease the
17 dementia in general. The question is whether we are
18 treating Alzheimer or vascular dementia.

19 DR. GORELICK: I want to make one other comment
20 about this. I think you have to be careful in terms of this
21 whole blood-pressure issue because if you look at prevalence
22 studies, you see that the blood pressures are actually low.
23 I think they are low because you get the prevalence
24 incidence bias and that these are burned-out cases and they
25 are going to have lower blood pressure because the brain has

1 already been damaged.

2 So I think there is a false sense that the blood
3 pressures are actually low once the people get disease and
4 that has been shown in some of the general dementia and
5 Alzheimer's disease studies, that the pressures are actually
6 low. But, when you look at the incidence data, it is clear
7 that they are high before this all happens.

8 The other thing I want to caution everybody on is
9 that once you have so-called vascular dementia, it may be
10 that you actually need your blood pressure elevated a little
11 bit. If you look at John Sterling-Meyer's data from a long
12 time ago, the people who did the best, who he defined as
13 having vascular dementia which, I believe, met DSM III
14 criteria at the time, if I am not mistaken, they had
15 systolics of 135 to 150. The people who were under that did
16 worse.

17 In our case-control study, we found something
18 similar, that as the blood pressures were dropping, these
19 people were doing worse and the ones who actually had higher
20 absolute blood pressures did a little better once the frank
21 disorder had set in.

22 DR. ROMAN: I would like to bring your attention
23 to a population that is Mexican-American in South Texas with
24 an extremely high prevalence of diabetes mellitus. There
25 has been a long-standing concern of why is it that we see so

1 many complications of diabetes, beginning with renal
2 failure, blindness, peripheral neuropathy and, of course,
3 stroke and dementia.

4 There is some very interesting data pointing out
5 to the very high frequency of executive dysfunction in these
6 patients who have difficulty controlling their diabetes.
7 You go into a circle where the use of the insulin and the
8 oral hypoglycemic agents becomes more and more complex and
9 the patient has less and less capacity to follow the
10 instructions, ending up not only with the vascular impact
11 but also with the effects of hypoglycemia, and so on.

12 So it seems that that could be a particularly
13 severe factor for certain populations, particularly
14 Mexican-Americans where, as Helena mentioned, small-vessel
15 disease is quite significant. We see small-vessel disease
16 and lacunar strokes quite often.

17 DR. GORELICK: There is some data suggesting that
18 there may be a problem with insulin signalling in the brain,
19 insulin-receptor resistance and that, once you develop
20 diabetes, you may be developing brain as an end-organ
21 complication of diabetes. It may even have to do with
22 phosphorylation of tau. There is a pathway there that the
23 insulin receptor may be influencing.

24 DR. KATZ: Just an observation. Your unifying
25 hypothesis, as well as some of the data, would suggest that

1 there is a correlation between risk factors for
2 cardiovascular and the incidence of Alzheimer's disease.
3 Even the results of the Syst-Eur study, they all sort of
4 suggest, to me, and maybe I will ask it in the form of a
5 question, do they suggest to you sort of a blurring between
6 the distinction between Alzheimer's and vascular dementia?

7 DR. GORELICK: Thank you. That is the point. I
8 think that there is a blurring. I agree with you. I think
9 we have to be very careful here. I don't think we want to
10 recreate what we did with neuroprotectants and stroke. We
11 probably spent a billion or more dollars, or industry did,
12 and we made this great leap of faith and didn't really have
13 the right data to make the jump to where we needed to be,
14 and now we are paying for it.

15 So I think there is this blurring and I think we
16 have to decide where our target is going to be. If we can
17 ferret out the cases who have strokes and Alzheimer's
18 disease together, we have a clear target. If we can't do
19 that, then we have got to step even further to the left and
20 say, "Well, let's start looking at this possibility of a
21 unifying hypothesis, and what we need to do here, and get an
22 enriched sample of people who are high risk and see where we
23 can take it."

24 I think that these modifiable risk factors do have
25 a lot of advantages because we know they are safe and

1 effective therapy and people know how to use the agents in
2 the community because they have been out there for a long
3 time and it does have some advantages.

4 DR. PENIX: I would just like to reiterate that
5 one of the problems, I think, with the neuroprotective
6 studies in stroke is that we have lumped all ischemic stroke
7 together, particularly including small-vessel lacunar
8 subcortical strokes with large-vessel strokes which are
9 probably very different.

10 I think, there, if they were separated, there is a
11 possibility that some of those studies may have been
12 positive.

13 DR. GORELICK: That was a point that we made in
14 the Stroke Therapy Academic Industrial Roundtable, or STAIR,
15 Project which was a meeting between industry and academia to
16 sit down and say, why did this go wrong, why have we spent
17 about a billion dollars and don't have a positive result.

18 Certainly, that was one of the issues of the
19 patient selection was poor. The issue was had we used
20 diffusion perfusion imaging that we would have gotten rid of
21 the smaller-vessel infarcts and we would have had the right
22 target population. Some of the preliminary work on this has
23 shown that if you had the right target population, you have
24 enriched your sample and you really don't need that many
25 patients relative to what we have looked at and what we have

1 spent.

2 But there are other issues, too, of course. One of
3 them is going from rodent models and skipping primates and
4 going right to the human studies and making this big leap of
5 faith.

6 DR. CHUI: Dr. Kawas, could I come back to your
7 tantalizing question, is there any difference between the
8 vascular risk factors for stroke versus vascular dementia.
9 I would say that maybe we haven't really answered that
10 question fully.

11 I want to pose the idea that vascular dementia
12 has--there are different natural histories and we know a
13 very little bit about them. There may be the large-vessel
14 strokes that cause more of a static or abrupt onset, plateau
15 and then to the next step.

16 And then there may be more slowly progressive
17 ones. The question may be are there differences in vascular
18 risk factors leading to the static versus the slowly
19 progressive vascular dementia. There, my hypothesis would
20 be that it would be hypertension and diabetes that have a
21 greater exaggerated impact on the slowly progressive
22 dementias.

23 We can see that in some of the epidemiologic
24 studies like the Honolulu Heart Study that the mid-life
25 hypertension is associated with cognitive decline in late

1 life without any history of clinical stroke. In the Erick
2 study, the atherosclerosis risk in community, the article by
3 David Knopman, I guess this January, also showed that
4 hypertension and diabetes were risk factors for cognitive
5 impairment.

6 DR. KAWAS: This concludes the invited speakers.
7 We have several public speakers. I would like to fit at
8 least some of them in before lunch. Our first public
9 speaker is Ray Pratt. Dr. Pratt is the Senior Director of
10 CNS and Internal Medicine for Eisai/Pfizer. He will be
11 talking to us about Diagnostic Criteria, Proposed Outcome
12 Measures and Experiences to Date.

13 Public Speakers

14 Diagnostic Criteria, Proposed Outcome Measures
15 and Experiences to Date

16 DR. PRATT: Thank you very much. It is a pleasure
17 to be able to speak before such an audience, particularly
18 coming after Dr. Gorelick here and his comments about
19 prevention. I think that the prevention of dementia
20 probably should be our gold standard of developing drugs to
21 be able to do that.

22 However, once dementia actually occurs, then we
23 are faced with what do we have to actually about it in the
24 clinic.

25 [Slide.]

1 I would like to begin my commentary by stating
2 that when we started our studies with Aricept in the
3 population of dementia with cerebrovascular disease, it was
4 in 1996 and 1997. I was particularly impressed by the dates
5 of all the articles that people were discussing at the round
6 table this morning, the tremendous amount of information
7 that has occurred and has been published since 1997
8 concerning this issue of vascular dementia, what is it, how
9 do we classify it and where do we go.

10 However, at the time we actually were conceiving
11 our studies in dementia with cerebrovascular diseases, the
12 only thing we really had to go on at the time was the
13 clinical diagnosis by the Alzheimer's criteria, the ADRDA
14 criteria, for probable and possible Alzheimer's disease as
15 well as the two criteria that were suggested for vascular
16 dementia, the AIREN criteria and the California criteria.

17 Furthermore, we also had directives from both
18 the--draft directives from the U.S. FDA as well as the
19 European regulatory authorities concerning the types of
20 outcome measures that would be necessary in development of
21 anti-dementia drug products.

22 So, at that point, we took the look at decided
23 that we were going to focus our clinical studies in a
24 patient population that would not have been included in our
25 previous trials with Alzheimer's disease with Aricept. And

1 we chose to actually focus on the specific population as
2 defined by the NINDS/AIREN criteria which included, at the
3 time, again, the definition of dementia which was
4 predominantly a memory component of loss plus at least two
5 other cognitive domains of impairment and was identifiable
6 by both clinical and radiological criteria.

7 Particularly the cerebrovascular disease had to be
8 documented by neuroimaging studies and that vascular risk
9 factors had to be a prominent component of the patient
10 population and particularly, perhaps, more prominent than
11 they were in our Alzheimer studies.

12 Additionally, we made a decision also that we were
13 going to try to exclude, as best as we possibly could,
14 patients who had previous documented diagnoses of
15 Alzheimer's disease. The studies, just briefly, to
16 recapitulate as to what we did is that they are parallel
17 group design studies. They are 24 weeks in duration. They
18 are double-blind placebo-controlled and we have we have
19 open-label extensions following.

20 [Slide.]

21 We believe that this actually defines a clinically
22 relevant population. We chose to include both patients with
23 possible and probable dementia with cerebrovascular disease
24 as defined by the AIREN criteria. Particularly, we were
25 encouraged to look for patients with stroke, intracranial

1 hemorrhage and, particularly, extensive white-matter disease
2 on neuroimaging studies.

3 We wanted to include patients, particularly with
4 diabetes mellitus, insulin-dependent type of diabetes
5 mellitus, hypertension, atherosclerosis and cardiovascular
6 disease which, again, were excluded or limited in some of
7 the probable AD studies that led to the approval of Aricept.

8 Importantly, the question we wanted to end up with
9 was to get to a patient who was evaluated for the first
10 time, so can this be helpful in terms of generating labeling
11 for the physicians and the community. What do I do with a
12 patient who presents to me with dementia? I work them up
13 and I find that they have evidence of cerebrovascular
14 disease. They don't quite fit into the Alzheimer's
15 criteria. We thought that this type of study would actually
16 get to the point of doing that, so we encouraged patients
17 who had not been treated with anything before and who were
18 evaluated with dementia for the first time who met the
19 criteria for enrollment to be included in the study.

20 [Slide.]

21 I would like to take a few minutes to go through
22 this slide because I think this explains our thought process
23 in terms of just looking at the continuum between the
24 probable AD group of patients as well as the probable
25 vascular dementia group of patients.

1 Again, we have the probable AD group of patients
2 defined by the ADRDA criteria. We are all very familiar
3 with the criteria that this used including the dementia with
4 gradual onset, continuous progression and, particularly,
5 neuroimaging was negative for cerebrovascular disease.

6 The neuroimaging that actually we are looking for
7 would be cortical infarcts, subcortical infarcts, multiple
8 lacunes and extensive white-matter disease.

9 In the pivotal trials for Aricept in probable
10 Alzheimer's disease, the neuroimaging studies were over
11 95 percent totally negative for any additional intracranial
12 pathology. So this was a very highly selective population
13 that really did truly meet criteria for probable AD. Again,
14 there was no significant comorbidity that was appreciably
15 present. There were some patients in the studies who went
16 on to have strokes, who went on to have heart attacks and
17 who had evidence of peripheral vascular disease. However,
18 these were very, very few patients.

19 Moving over to the other end, there, if we take
20 the NINDS/AIREN criteria as the criteria defining inclusion
21 into our studies, the definition of dementia remains the
22 same across all three of these. In other words, we are
23 stuck with the memory prominence plus two other domains that
24 have to be involved. Therefore, we are at least enriching a
25 population that does have one commonality across all three

1 of the clinical populations that we are looking at.

2 However, again, the temporal relationships have to
3 be there, onset within approximately three months of a
4 recognized clinical stroke, a stepwise progression,
5 something which we all generally understand but which we
6 found to be extremely difficult to document in the clinical
7 settings and particularly reviewing charts and asking people
8 to document how did you make a determination that patients
9 were stepwise deteriorating, focal neurologic findings
10 correlating with the residuals from cerebrovascular events
11 that occurred and, again, neuroimaging being positive for
12 cerebrovascular disease.

13 The group that actually falls in between there,
14 what we are calling the possible VAD group by the AIREN
15 criteria--and, again, I am not certain that we need to
16 know--that possible VAD, probable VAD, may be the best
17 terminology that we use. We actually called our studies
18 studies of dementia with cerebrovascular disease not
19 necessarily vascular dementia because of the issues that we
20 are discussing today.

21 Again, patients had to have dementia but the CVA,
22 a clinical stroke was not really required to put them into
23 that temporal aspect. The onset and progression by clinical
24 history could be very variable and comorbidities, in terms
25 of hypertension, cardiovascular disease, diabetes may or may

1 not be present.

2 We made one minor deviation from the real AIREN
3 criteria in that possible VAD by that criteria does not
4 require neuroimaging to be positive to make a diagnosis of
5 possible vascular dementia. However, for purposes of our
6 research studies, we actually required all patients who were
7 enrolled in these studies to really have positive
8 neuroimaging to some degree for each of the--to be included
9 in the study with possible or probable VDA.

10 The investigators were left up to make the
11 determination on their best clinical judgment as to where
12 these patients fell based on the actual criteria that was
13 there.

14 One other thing I would like to point out also is
15 that where did we actually find the patients to enroll in
16 these studies. I think it is a very useful commentary here.
17 For the probable AD populations in our pivotal trials, we
18 actually had a significant number of memory clinics
19 specializing in Alzheimer's disease which form the basis of
20 our investigator cohort for this.

21 We found that those same memory clinics performed
22 very poorly in finding patients who met criteria for
23 vascular dementia. The best performers actually were either
24 academic clinics or sites that actually had large
25 relationships with the community physicians, physicians in

1 internal medicine, family practice, cardiology,
2 endocrinology and particularly diabetes clinics were a very
3 useful part of finding patients to enroll in the studies.

4 So, again, we believe that these criteria actually
5 form a clinically relevant clinical criteria for actually
6 describing the population that we are going on to study.

7 [Slide.]

8 I would like to turn for a few minutes and talk
9 about the outcome measures. The outcome measures that we
10 chose for our clinical trials, again, are very similar to
11 the ones that we have used in our Alzheimer's clinical
12 trials.

13 We have a cognitive domain, a global status and a
14 functional domain. These were chosen to comply with
15 recommendations from both the U.S. and the European
16 regulatory authorities for the development of anti-dementia
17 drugs. Again, the ADAS-Cog which we chose as our primary
18 cognitive outcome measure is administered to the patient.
19 We have a patient and caregiver interview for our CIBIC-Plus
20 as well as a caregiver assessment of the functional status
21 that is rated by a clinician or psychometrician.

22 We all know that all three of these endpoints have
23 been extensively validated in the Alzheimer's population.
24 However, in the vascular-dementia population, only the
25 ADAS-Cog has been used in previous studies of this

1 population.

2 However, there is no reason to suspect that the
3 global assessment or the functional assessment would not be
4 equally valid in a population enriched for patients with
5 cerebrovascular disease as opposed to probable Alzheimer's
6 disease.

7 Finally, all of the outcome measures that we chose
8 actually are sensitive to drug effects in placebo-controlled
9 trials, I think a very important aspect when looking at
10 outcome measures in terms of whether we can actually detect
11 differences in drug-treated versus placebo and, in some
12 cases, also show negative studies where treatments that
13 wouldn't be expected to work also don't show any effects on
14 the outcome measures.

15 [Slide.]

16 We have gotten into a lot of discussion on
17 cognitive domains and which are important and which are not.
18 I think just the important aspect to hit on this slide is
19 that there are very few domains, at least in studies that
20 have been retrospectively looked at, that are prevalent in
21 vascular dementia as opposed to Alzheimer's disease with the
22 exception, again, of the front executive function.

23 Unfortunately, the ADAS-Cog does not really have a
24 good functional executive dysfunctional test as part of it
25 and so that is one thing that we will be lacking in our

1 clinical studies is an assessment of this modality.

2 [Slide.]

3 Finally, I think the usefulness of the NINDS/AIREN
4 as a criteria for enrolling patients in clinical trials also
5 helps to tell us who doesn't get into our trials. So what
6 was the reason that we actually excluded these patients from
7 our clinical studies. We had approximately 600 screen
8 failures to date in our clinical studies, and approximately
9 22 percent of them just had no evidence for cerebrovascular
10 disease despite extensive prescreening and assessments by
11 our investigators to try to maximize the number of patients
12 who had cerebrovascular disease to be enrolled into the
13 study.

14 The largest group of patients who are excluded
15 gets into this condition that I think we were actually
16 talking about a little bit with Dr. Gorelick was the issue
17 of unstable conditions. The clinical study was a six-month
18 study and we actually wanted to enroll patients who had a
19 reasonable probability of actually being able to make it
20 through the study successfully.

21 Therefore, we put a few conditions up front that
22 defined what we believe, in our best judgment, to be medical
23 stability. Typically, we wanted patients at least out of
24 the hospital for three months. We wanted their medical
25 treatment regimens to be stable for three months. That

1 turns out to be a very, very difficult task to achieve
2 sometimes in this population.

3 Finally, the issue of the MSSE. The MSSE was used
4 as our primary screening test again because of the memory
5 prominence of the component there. It is a very simple test
6 and would have wide utility in the primary-care arena. We
7 found that 15 percent of our patients were excluded on the
8 basis of MSSE, particularly scores in the 27 to 29 range,
9 despite the fact that they may have had multiple impairments
10 on their ADAS-Cog or on their CDR rating that would
11 otherwise have included them into the study. However, they
12 did not have this criteria and were, therefore, excluded
13 from the study.

14 [Slide.]

15 I would like to conclude by making the statement
16 that I believe the NINDS/AIREN criteria, as we used in our
17 study, really does select a different population from the
18 probable AD group and particularly the clinical
19 characteristics are different from the AD population.

20 On respect, in particular, that we have focused on
21 is that the neuroimaging is all abnormal. The outcome
22 measures that we have chosen, the ADAS-Cog and CIBIC-Plus
23 are appropriate because we believe the cognitive deficits in
24 both of these groups that we have actually studied are
25 similar.

1 Importantly, we believe that this is a clinically
2 relevant population for labeling purposes because it can
3 reasonably be identified by clinicians pursuing a dementia
4 workup.

5 Thank you very much.

6 DR. KAWAS: Thank you.

7 The floor is now open for questions. I thank you,
8 Dr. Pratt. I think that is important data for us to see.
9 When do you expect to have the entire study completed?

10 DR. PRATT: We are still finishing up the last
11 patients in the clinical cohort so, soon, we hope.

12 DR. PENIX: The screen-failure slide, the patients
13 who were excluded with no evidence of cerebrovascular
14 disease, they were all made by neuroimaging?

15 DR. PRATT: Yes.

16 DR. ROMAN: Would you like to comment on the
17 Mini-Mental as a screening instrument? I think that brings
18 us back to the definition of dementia.

19 DR. PRATT: I think that it is a very important
20 one. We chose it because of the definition and the utility
21 that it had in our Alzheimer's population. Clearly, we were
22 unexpected that so many patients would be screen-failed
23 simply on that basis alone, and I think that is something we
24 would like to go back and examine on those patients.

25 But I agree that it does not actually test some of

1 the areas that we actually are knowing, that we have
2 patients with deficits in, particularly the frontal
3 executive dysfunction is totally ignored in the MSSE. So
4 the MSSE, I think, was not, at least for the purpose of this
5 research study, maybe the best tool to use as the screener.

6 Again, I am not certain what other tool we would
7 use in the community to be able to pick up these patients
8 more frequently.

9 DR. CHUI: A suggestion; some simple test for
10 executive function could be verbal fluency, like FAS or
11 animal fluency, trails A or B.

12 DR. KAWAS: Do you think you would get the 90/10
13 separation on those tests when compared to Alzheimer
14 patients?

15 DR. CHUI: Oh, no; not specific. Sensitive. More
16 sensitive, not specific.

17 DR. KAWAS: Thank you very much.

18 Our next public speaker is Dr. Andrew Satlin who
19 is Director of Clinical Research at Novartis. He will be
20 speaking to us on Issues Related to the Development of Drugs
21 for the Treatment of Patients with Vascular Dementia.

22 Issues Related to the Development of Drugs
23 for the Treatment of Patients with Vascular Dementia

24 DR. SATLIN: Thank you very much for the
25 opportunity to present to the committee.

1 [Slide.]

2 I am going to propose some answers to the
3 questions that were raised by the FDA and I hope to suggest,
4 through the answers to our questions, that we are ready to
5 do clinical trials in vascular dementia at this time, good
6 clinical trials. This is important because we know that
7 drugs such as the cholinesterase inhibitors, which have been
8 approved for treatment in Alzheimer's disease, are being
9 used empirically and in clinical trials already to treat
10 patients with vascular dementia and it is really incumbent
11 on us to test definitively whether these drugs and others
12 work and, if so, to provide them to the populations that
13 need them.

14 [Technical difficulties with slide projection.]

15 DR. KAWAS: While we are waiting, does anyone want
16 the floor?

17 DR. CHUI: Dr. Kawas, may I ask Dr. Pratt, are you
18 able to divide your sample by subtype, vascular-dementia
19 subtype? I might have missed that. I was out of the room
20 for a while.

21 DR. PRATT: We will ultimately be able to subtype
22 them. We have actually tried to collect as much information
23 as we can to be able to classify by stroke location, type of
24 neuroimaging findings and we have designed the studies,
25 actually, so that the two of them can actually be put

1 together, so we will have a very large cohort in which to
2 actually look at individual subtypes at the end of the
3 trial.

4 DR. KAWAS: I think we are set for Dr. Satlin.

5 [Slide.]

6 DR. SATLIN: Thank you. The first question is
7 whether vascular dementia is a clearly definable entity
8 clinically. I would suggest that, of course, we need to
9 first determine which criteria we are going to use in
10 defining the diagnosis and that the NINDS/AIREN criteria are
11 probably the best at this point, at least for use in
12 clinical trials.

13 No criteria, obviously, are definitive. What we
14 want to do is to find criteria that will allow us to
15 maximize validity and reliability. By requiring a
16 combination of focal signs on examination, neuroimaging
17 evidence and a causal relationship between the two in
18 addition to the presence of dementia, these criteria are
19 probably the most rigorous that could be used in order to
20 define a specific population.

21 So they really establish the highest burden of
22 proof. In fact, several studies including one by Dr. Chui,
23 suggest that the criteria are conservative when they are
24 compared to other diagnostic criteria for vascular dementia.

25 In other words, while they may be less sensitive

1 and will pick up a smaller population available for a trial,
2 they are likely to yield a more homogenous population. And
3 that is probably important because a key issue in designing
4 trials in vascular dementia that are specifically looking at
5 the effect of a drug on vascular dementia is to exclude
6 other diagnoses, in particular Alzheimer's disease.

7 From Gold's study, looking at the neuropathology,
8 patients classified by the NINDS criteria were only
9 misclassified as having Alzheimer's disease in 9 percent of
10 the cases and misclassified as having mixed dementia,
11 vascular plus Alzheimer's disease, in 29 percent of the
12 cases.

13 Finally, the criteria in several studies have been
14 shown to have moderate reliability with kappas in the range
15 of 0.4 to 0.7.

16 [Slide.]

17 In order to apply the NINDS criteria, at least for
18 diagnosis of probable vascular disease, neuroimaging
19 evidence was required. So we believe, of course, studies
20 should include, as a screening tool, MRI imaging in order to
21 make the imaging diagnosis.

22 However, we would propose eliminating the
23 requirement for a temporal relationship in cases of pure
24 subcortical vascular disease by MRI criteria. Why would we
25 do this? First, of course, there is a practical

1 consideration in those patients who have pure subcortical
2 vascular disease, as we heard this morning.

3 Very often, there is no evidence clinically of
4 stroke and it is very difficult to determine a temporal
5 relationship between the clinical stroke and the onset of
6 dementia as there would be with other forms of vascular
7 disease. So that is a practical consideration.

8 We also think that, in terms of establishing a
9 population for study that will be clinically relevant and
10 will be relevant to the population that would be treated out
11 in the community. But this is also an important
12 consideration, the reason being that one can imagine that,
13 in the community, those patients who have classic clinical
14 features of vascular dementia will be identified and
15 possibly treated without neuroimaging.

16 But, in those cases where the clinical course and
17 the other clinical features are not classic, neuroimaging
18 might be used and then one would find that you would
19 identify those vascular-dementia patients predominantly with
20 subcortical disease. So the subcortical population will be
21 pulled in by the imaging criteria.

22 Finally, of course, the application of the
23 criteria need to be reliable among different investigators
24 in the study and so training in the use of the criteria and
25 an investigator meeting would be essential.

1 [Slide.]

2 The next question is whether vascular dementia, of
3 course, is distinguishable from Alzheimer's disease.
4 Clearly, as everyone has mentioned this morning, there is a
5 great deal of overlap between Alzheimer's disease and
6 vascular dementia and probably more than is expected by
7 chance.

8 It is variously estimated that about a third of
9 patients with vascular disease will have neuropathology
10 consistent with Alzheimer's disease and the same in the
11 opposite direction. Of course, we don't know which
12 pathology in any individual patient is contributing the most
13 to the clinical symptoms of dementia.

14 However, as I have already suggested, the
15 NINDS/AIREN criteria are relatively specific for the
16 exclusion of vascular dementia and, as Dr. Chui pointed out
17 this morning, the Gold study actually included very few
18 patients who had neuroimaging criteria so, in fact, these
19 figures may be conservative. One could imagine that if
20 imaging had been done on all of these patients that you
21 would be selecting for a population that was even more
22 weighted toward vascular dementia and away from Alzheimer's
23 disease.

24 There is other evidence that suggests that the
25 dementia in patients with vascular dementia, even in those

1 patients whose dementia is due to subcortical vascular
2 disease does not simply indicate the presence of Alzheimer's
3 disease pathology. This has been pointed out by Fein in his
4 study where, for example, hippocampal and cortical atrophy
5 associated with cognitive impairment were found on autopsy
6 in patients with subcortical ischemic vascular disease
7 without Alzheimer's pathology and also the patterns of
8 association between the imaging changes and the cognitive
9 impairment was different in patients who had lacunar disease
10 from patients who had Alzheimer's disease.

11 So this evidence further suggests that vascular
12 dementia is a separate clinical entity from Alzheimer's
13 disease as well as different on neuroimaging.

14 Finally, quite apart from the question of whether
15 vascular disease and Alzheimer's dementia can be
16 distinguished, in a clinical trial, the requirement for a
17 statistical and clinically relevant effect in the
18 vascular-dementia treatment arm will preclude the
19 possibility that the effects are entirely due to treatment
20 with Alzheimer's disease so long as the proportion of
21 patients with Alzheimer's disease is low enough. And we
22 think that it can be kept low enough using the NINDS/AIREN
23 with the minor modification that I discussed before.

24 [Slide.]

25 So, turning to outcome measures, clearly with

1 vascular dementia as with Alzheimer's disease, two primary
2 outcome measures in any study would be essential, one
3 looking at the primary symptomatic domain which is cognitive
4 and the other looking at a global measure in order to
5 validate the clinical relevance of the cognitive change.

6 Several researchers have suggested that vascular
7 dementia has a predominance compared with Alzheimer's
8 disease of deficits in front-lobe function. So it seems to
9 make sense to use a cognitive measure that includes those
10 items standard for evaluation of Alzheimer's patients, as in
11 the ADAS-Cog, with some additional items that would be
12 weighted toward frontal-lobe function including attention
13 and concentration, executive function, verbal fluency,
14 working memory and psychomotor speed.

15 There are a number of tests, obviously, that could
16 be chosen to meet these areas. Several of them have been
17 recommended by an expert committee including a maze test, a
18 verbal-fluency test looking at generation of words. While
19 these additional items are not individually validated in
20 vascular dementia, each has been found validated in
21 Alzheimer's disease patients and, certainly, because of the
22 association with the frontal-lobe functioning, they have
23 face validity in vascular disease.

24 Then, finally, of course, any of a number of
25 methodologies for doing a global rating would be appropriate

1 such as the ADCS-CGIC.

2 [Slide.]

3 Finally, I would suggest that trials need to be at
4 least comparable in length to trials of Alzheimer's disease.
5 Several studies have suggested that both Alzheimer's disease
6 and vascular disease progress at relatively similar rates
7 but, in the absence of any pilot data or available data
8 regarding possible symptomatic treatments of drugs such as
9 the cholinesterase inhibitors, in vascular-dementia
10 patients, there is a need to treat long enough, as we do in
11 Alzheimer's disease trials, in order to insure that we could
12 see a drug-placebo difference based on a presumed decline in
13 the placebo patients over the course of the study.

14 Also, of course, a longer duration will provide
15 more safety data in this population. This is, clinically, a
16 different population from Alzheimer's disease because of the
17 risk factors which lead to additional medical disability
18 and, therefore, a need to look at the safety of these drugs
19 over a longer period of time.

20 I would also suggest that an important component
21 of trials in vascular dementia is to monitor for the changes
22 in vascular risk factors, especially over a long trial,
23 since these, as well, could have an impact presumably that
24 would be similar in the drug and placebo arms of the trial
25 but at least one should look at hypertension, smoking,

1 hyperlipidemia and diabetes over the course of the trial.

2 [Slide.]

3 To conclude, a properly designed clinical trial in
4 vascular dementia should select as homogeneous a population
5 as possible in order to insure that the overall effect of
6 the drug is driven by the population of interest. We think
7 that the NINDS/AIREN criteria are, at this point, best to do
8 that.

9 [Slide.]

10 The study must use outcome measures with
11 demonstrated validity and reliability or at least reliable
12 measures that have demonstrated validity in dementia and
13 that, on the face, seem appropriate to use in patients with
14 vascular disease and frontal-lobe dysfunction. Finally, the
15 study must be of adequate duration, comparable to studies in
16 Alzheimer's disease.

17 So, again, in conclusion, we think that these
18 trials can be done now, that the NINDS/AIREN criteria are
19 most appropriate, acceptable and usable by a group of
20 investigators in order to define the population, that this
21 population further is clinically relevant and that, with the
22 modifications we have made in the criteria, could be applied
23 easily in a community setting and give us an opportunity to
24 test these treatments definitively so that they can be used
25 in this population.

1 Thanks very much.

2 DR. KAWAS: Thank you, Dr. Satlin.

3 The floor is now open for questions.

4 DR. GRUNDMAN: You mentioned that we needed to do
5 longer-duration studies to insure decline in the placebo
6 group. I was wondering about what sort of natural-history
7 data you actually have on these patients considering their
8 heterogeneity. We see patients with stroke and, often,
9 within the weeks after the stroke, they improve. Now, in
10 your cases, some of these strokes were within three months;
11 right?

12 DR. SATLIN: We don't actually have patients
13 enrolled in a study as of yet. These are proposed solutions
14 to some of these problems. I agree with you that there is a
15 great deal of variability in the progression. I would think
16 that any study would need to exclude people who were
17 immediately post-stroke, at least until they were stable.

18 DR. GRUNDMAN: But some patients can show
19 improvement over the months following a stroke.

20 DR. SATLIN: That is what I mean. Until one is
21 clear that there is stability, that further improvement from
22 the acute stroke is not occurring.

23 DR. GRUNDMAN: On the other hand, some of the
24 patients with the subcortical variety may actually progress
25 differently than patients of the multi-infarct variety. So

1 I was just trying to figure out, depending on your patient
2 mix, you might have variability in the rate of decline that
3 you are postulating.

4 DR. SATLIN: I agree. I think that is another
5 argument in favor of doing a longer trial because the
6 variability may be reduced, at least if you look much
7 further out, than if you try to look at--

8 DR. GRUNDMAN: But then they might have more
9 strokes, too.

10 DR. SATLIN: That is certainly true. You have to
11 do a large enough trial to insure that at least these things
12 are going to be balanced, if possible.

13 DR. KAWAS: Actually, I have a question about one
14 of your thoughts, that if you had a trial, that the
15 treatment effect could not be driven by Alzheimer patients.
16 You keep referring to the 91 percent of Alzheimer's disease
17 patients that get excluded by this criteria.

18 But that exclusion rate, if I am not mistaken, is
19 for excluding pure Alzheimer's disease.

20 DR. SATLIN: Yes; that's right.

21 DR. KAWAS: Since we have seen data today that
22 tells us that easily the majority of people who get a
23 diagnosis of vascular dementia are likely to have
24 Alzheimer's pathology, generally, the majority is
25 potentially enough to drive an effect, I would have thought.

1 DR. SATLIN: I think there are different data that
2 suggest different things. In the Gold study, for example,
3 the 9 percent, you are right, is for Alzheimer's disease
4 pure. But the figure for mixed was only 29 percent. So the
5 question, then, would become first would it be even lower if
6 Gold clinically had used not just NINDS/AIREN for possible
7 vascular dementia but for probable excluding people by
8 imaging criteria.

9 Then, in other series, the question becomes which
10 criteria were used and you need to know that in order to be
11 able to assess the weights, the comparability with the rates
12 of pathology.

13 As Dr. Chui mentioned this morning, also, in her
14 autopsy series, I guess you mentioned twelve patients with
15 vascular dementia who had very little in the way of any
16 Alzheimer's pathology.

17 DR. CHUI: They had a spectrum of Alzheimer's
18 pathology but only two of them had Braak Stage 5 and 6,
19 isocortical stages of Alzheimer's. But it was a very small
20 sample.

21 DR. DUARA: I guess my question relates to--it is
22 addressed to you and also to Dr. Katz and the FDA, in
23 general. We now have criteria for vascular dementia that we
24 can use, and you have shown--and the previous speaker has
25 also shown how we could use these studies. We know that

1 using these criteria, the likelihood is that we are going to
2 have a substantial number of patients with Alzheimer's
3 disease.

4 The fact is, though, that, under the current FDA
5 indication for the cholinesterase inhibitors that have been
6 available, these patients would not normally have the
7 indication for these drugs at this point. They would be
8 patients--I mean, you could use it off-label, of course, but
9 you are diagnosing these patients as vascular dementia.

10 So, if you, then, do a trial on these patients who
11 are excluded, basically, because they don't have, as far as
12 you can clinically detect Alzheimer's disease, wouldn't that
13 be a fair trial to conduct, regardless of what the actual
14 cause of the improvement is, whether it is because it is
15 helping coexisting Alzheimer's disease or not?

16 The fact is that these people are actually
17 excluded from, as far as the FDA is concerned, these trials.

18 DR. KATZ: I guess the short answer is sure.
19 There is nothing wrong with studying people who have
20 dementia. Who knows what the underlying etiology is in
21 showing an effect of a drug. That would, presumably, be
22 useful information, important information.

23 The question is how do you identify these people,
24 what do you call them in labeling. Are they different from
25 Alzheimer's patients? For example, there may be Alzheimer's

1 patients with vascular disease. That may be a more accurate
2 description of who these people are.

3 That is different from saying these people have
4 something called vascular dementia. One of the purposes of
5 today's meeting is to sort of hash this out, what should we
6 call them, how do we describe them. So, sure; it would be
7 good to study them, it seems to me. And I don't know that
8 they are excluded--companies may choose to exclude the
9 Alzheimer's patients who have evidence of vascular disease
10 on, let's say, imaging in their studies, but I don't know
11 that there is a requirement that they do so.

12 But, nonetheless, obviously, people are always
13 trying to identify homogenous populations for study so I
14 suppose most of those patients were excluded.

15 But, yes; the question is how do you describe it.

16 DR. GRUNDMAN: Just, again, on the issue of the
17 duration of the trials, I guess it sort of depends on what
18 it is that you are trying to accomplish. I guess I am not
19 really sure. On the one hand, it sounds like you want to
20 insure that they decline, but we don't really know how
21 quickly or what their natural history is.

22 So it seems to me like if we are trying to develop
23 drugs in this area, if we are looking at a symptomatic
24 agent, they wouldn't need to be a year long, unless you just
25 want to document that the improvement persists over a longer

1 period of time.

2 DR. SATLIN: That is absolutely right. It is
3 really analogous to the situation with Alzheimer's disease.
4 I am suggesting that it is at least analogous and, maybe for
5 one or two reasons, might be--

6 DR. GRUNDMAN: So we are talking about symptomatic
7 drugs.

8 DR. SATLIN: Oh, yes.

9 DR. GRUNDMAN: We are not talking about
10 disease-modifying drugs as we were would talk about in
11 Alzheimer's disease, necessarily, or the types of drugs that
12 Dr. Gorelick was discussing earlier.

13 DR. SATLIN: I think it would depend on the drug
14 that was being tested, obviously, what you would look for.
15 But if one was testing cholinesterase inhibitors, for
16 example, in vascular dementia, using the same rationale as
17 treatment in Alzheimer's disease, namely the cholinergic
18 deficit, yes, one would look for a symptomatic effect.

19 DR. CHUI: I thought you framed that trying to
20 exclude that Alzheimer's disease might be driving a positive
21 effect very nicely. Have you thought about how you might
22 randomize the vascular group with the mix with the
23 Alzheimer's between your treatment and placebo arms so that
24 they would be balanced?

25 DR. SATLIN: I think what we would be trying to do

1 is to select a single population that would be as exclusive
2 of Alzheimer's disease as possible and then--obviously, we
3 won't have the neuropathology on the patient--and then just
4 randomizing them to the treatment. So I am not sure if
5 there is something else you are suggesting.

6 DR. CHUI: I am just thinking aloud, but maybe on
7 the degree of memory loss or the pattern of memory loss,
8 that there would be the same type of pattern. The Alzheimer
9 pattern would be equal between the treatment and the placebo
10 group.

11 DR. SATLIN: I suppose it would something that
12 could be tried, although, again, that would influence a
13 number of factors including the size of the trial and could
14 you really make those distinctions just clinically, might be
15 difficult to do.

16 DR. CHUI: A related question. Have you thought
17 about randomizing between the subtype of vascular dementia,
18 between the placebo and the treatment arm?

19 DR. SATLIN: That is a very good point. I think
20 at least one would want to look at the data afterward to
21 look at subpopulations, whether you stratified patients or
22 not at the beginning. Absolutely.

23 DR. KAWAS: Thank you very much.

24 Our final speaker in the public form is Dr. Sean
25 Lilienfeld. Dr. Lilienfeld is the Director of Global

1 Clinical Research Development, CNS, Janssen Research
2 Foundation. He will be speaking to us on the Overview of
3 Design and Results in the Placebo Groups from Trial with
4 Galantamine in the Treatment of Vascular and Mixed Dementia.

5 Overview of Design and Results in the Placebo Groups
6 from Trial with Galantamine in the Treatment of Vascular
7 and Mixed Dementia

8 DR. LILIENFELD: Thank you very much for the
9 opportunity to address you.

10 [Slide.]

11 I hope that some of the data that I will share
12 with you will answer some of the questions that have been
13 posed in the last forty-five minutes. We performed a study
14 in Europe, Canada and Israel and Poland involving some
15 600 patients using the criteria that have been discussed
16 this morning. We have some interesting placebo data to
17 share with you.

18 [Slide.]

19 Obviously, the problem that we faced was nicely
20 described by Dr. Pratt earlier. We had studied galantamine
21 in patients who met the criteria for probable Alzheimer's
22 dementia and as best we could exclude patients who had any
23 other disease, so this was done.

24 However, the reality is that there may have been
25 some patients in the study who had vascular disease. By use

1 of radiology, in particular, as has been mentioned, we tried
2 to exclude these patients but the reality is somewhat
3 illustrated here, and this slide was kindly leant to me by
4 Dr. Erkinjuntti.

5 It is really quite unclear, particularly in a
6 natural population, but even in a trial population, how
7 large that mixed patient population is. We may have, and we
8 hope to have studied the patients who are illustrated on the
9 right-hand side in green early and excluded the blue and
10 mixture patients, but we may not have done.

11 However, in order to address the considerations
12 which were mentioned earlier, and, in particular, does the
13 mixed population affect the efficacy of these drugs and,
14 more so, was there any safety consideration, we decided to
15 perform a study which would evaluate, hopefully, both
16 populations.

17 In order to do that, we used the NINDS/AIREN
18 criteria and the standard Alzheimer's criteria in the
19 fashion that I will describe to you.

20 We allowed the inclusion of, really, two groups of
21 vascular patients, those who had a diagnosis of probable
22 vascular dementia as defined by NINDS/AIREN criteria who
23 should have been, then, the group of clinically pure
24 patients.

25 We also allowed patients who would have been in

1 that middle group, the mixed group. They would ADRDA
2 criteria for possible Alzheimer's disease, possible because
3 their radiology would make it impossible for them to meet
4 the diagnosis of probable.

5 They also would have had to meeting the AIREN
6 criteria radiologically for vascular dementia so they fitted
7 into that group's possible vascular dementia and, hence, we
8 called them mixed dementia. The other screening criteria
9 were similar to those you have heard earlier today and,
10 again, in both groups, the probable and the mixed group, we
11 insisted that the radiology was positive and the
12 radiological criteria that we applied I will highlight for
13 you in a minute, but they were the AIREN criteria.

14 [Slide.]

15 A few subtle modifications, and I will only point
16 the modifications out to you, you don't need to concentrate
17 on the whole slide. It was suggested earlier that, perhaps,
18 Dr. Roman's criteria were very strict in that they required
19 memory to be present. Dr. Chui's criteria did not. So we
20 modified the NINDS/AIREN slightly in that we required
21 deficits in two or more areas of cognition but we did not
22 specify that one had to be memory.

23 However, when we reviewed this protocol with Dr.
24 Erkinjuntti and he was our external advisor, he felt that we
25 probably would have every patient having a memory deficit

1 because the first criterion required the standard diagnosis
2 of dementia. So it is likely that most patients did, in
3 fact, have memory but it was not an absolute requirement.

4 [Slide.]

5 The cerebrovascular disease criteria were applied
6 in this standard format.

7 [Slide.]

8 For the group who had probable vascular dementia,
9 we did stick to the strict criteria in that the temporal
10 relationship, abrupt deterioration of fluctuating stepwise
11 deterioration had to be present.

12 [Slide.]

13 For the patients who fitted into the mixed group,
14 we used the ADRDA criteria for the establishment of dementia
15 so they all had a memory impairment.

16 [Slide.]

17 But they also all had to have positive radiology.
18 These have been discussed several times. I won't eat into
19 your lunch time by going over these criteria again.

20 [Slide.]

21 There were several exclusion criteria, the
22 highlights of which are here. So we attempted, as best as
23 we clinically possible, to exclude other causes of
24 neurodegenerative disease. In particular in the patients
25 who were included as having probable vascular dementia, that

1 meant that Alzheimer's disease had to be excluded as well
2 and relevant medical conditions were also exclusion
3 criteria.

4 [Slide.]

5 These are the radiologic criteria and you have
6 seen these several times. I would just like to make one
7 point here and that is we do have the results of these
8 studies in house. I will discuss those that I am at liberty
9 to with you now. Clearly, these have been submitted to
10 several large peer-reviewed organizations and so some of the
11 data I cannot share with you because we don't want to
12 jeopardize the publication thereof.

13 But looking at these criteria, one interesting
14 thing that we did notice is that very few patients had a
15 radiology report which suggested that they fitted only into
16 one of these. More than two-thirds of patients had at least
17 two of these diagnoses present.

18 Whether that is a function of the way that
19 radiologists look at scans or whether, in fact, it is
20 reality is debatable, but just using radiology, it is going
21 to be quite difficult to end up with the smaller subgroups
22 that Dr. Chui suggested because the radiologists are either
23 overinterpreting the scans or patients have got mixed types
24 of vascular disease.

25 [Slide.]

1 These are the results from the study. You can see
2 there are almost 600 patients in total. I will point out a
3 few highlights here. Physicians were asked to diagnose the
4 patients into the two groups I have discussed, either having
5 probable vascular dementia or mixed dementia.

6 You can see that, fortuitously for us because we
7 didn't stratify, that we ended up with about 50 percent of
8 patients in each group having mixed dementia and about
9 40 percent in each group having pure vascular dementia.

10 Where are the other 10 percent? They probably
11 have mixed dementia but the physicians were able to state
12 that they felt they couldn't determine clearly between these
13 two and they didn't want to commit, and so there are
14 10 percent of patients who are, in fact, in one of these two
15 groups but not represented in either group.

16 When I show you the breakdown in the groups, then
17 these 10 percent of patients are, in fact, excluded.

18 [Slide.]

19 These are the placebo results of the six months.
20 I think there are a number of highlights. In each stage, I
21 will show you a slide like this and then remove--the blue
22 line represents all the placebo patients including those 10
23 percent of unclassified patients.

24 The yellow line in each graph will represent the
25 patients who had a diagnosis of mixed dementia and the red

1 line those who had a diagnosis of probable vascular
2 dementia.

3 [Slide.]

4 I think what is interesting, if you remove the
5 combined group, is the deterioration--this is the ADAS-Cog
6 over six months. We see that the patients who had mixed
7 dementia--in other words, who probably had a component of
8 Alzheimer's disease--deteriorated as has been seen in most
9 Alzheimer's studies by about two points over six months.

10 A very interesting finding, of course, is that the
11 patients who had probable vascular dementia did not
12 deteriorate at all over the course of six months. This is
13 post hoc analysis. The idea was not to compare these groups
14 but I think it is relevant given the focus of this meeting.
15 These two subgroups of placebo patients separated by
16 2.2 points on the ADAS over six months and that was
17 statistically significant.

18 [Slide.]

19 These patients were also rated with the
20 Neuropsychiatric Inventory, again with the combined group in
21 the middle in blue.

22 [Slide.]

23 Here you see that the direction of shift is the
24 same as you saw for the ADAS-Cog in that patients with the
25 mixed dementia have deteriorated more than patients with the

1 probable vascular dementia but they have not separated over
2 six months.

3 [Slide.]

4 This shows the disability assessment for dementia.
5 This is Serge Gautier's Functional Scale. Again, you see
6 similar trends.

7 [Slide.]

8 Patients who have mixed dementia have deteriorated
9 in the same order as we have seen in our Alzheimer's studies
10 by about 6 percent over the six-month period whereas
11 patients who had probable vascular dementia have not
12 deteriorated nearly as much, again, a 5 percent difference
13 which is statistically significant at six months.

14 [Slide.]

15 I would like to show you all the results and the
16 p-values but, for reasons that I have pointed out, I cannot
17 do so. I was also hoping that both Dr. Ferris and Dr.
18 DeKosky would remain here because they have seen these
19 results and they were going to be my--at least someone can
20 verify what I am telling you. Well, they have both left.
21 However, within the next ten weeks, at a very important
22 congress in Philadelphia, you will be able to see these results
23 yourself and you can see if these, in fact, were the case.

24 The reason I want to show you these results are
25 these, because one of the questions that has been raised is

1 what are suitable efficacy tools. In fact, all of these
2 tools were able to detect clinically relevant and
3 statistically significant differences.

4 [Slide.]

5 What we would like to conclude from these limited
6 data that I have shared with you is that, using the
7 NINDS/AIREN criteria, physicians in nine countries were able
8 to differentiate patients with probable vascular dementia
9 from those who had Alzheimer's disease with cerebrovascular
10 disease.

11 Taking these two subgroups of patients and
12 observing several scales, a cognitive scale, a
13 neuropsychiatric scale and a functional scale, the two
14 groups deteriorate at different rates over a six-month
15 period suggesting, at least in the clinical trial, that they
16 do represent different populations, identifiable
17 populations.

18 [Slide.]

19 Asking you to believe in the limited data I have
20 showed you, the currently used tools are sensitive, that
21 patients who have mixed dementia deteriorate at a rate
22 equivalent to that we have previously seen in patients who
23 have Alzheimer's disease whereas patients who had probable
24 vascular dementia, using these tools, were relatively stable
25 over six months. I think that is not completely surprising.

1 [Slide.]

2 These tools that we have applied are, in fact,
3 suitable in that they are able to detect both clinically
4 relevant and statistically significant differences between
5 patients who received an active drug and patients who
6 received placebo over six months.

7 Thank you very much.

8 DR. KAWAS: Thank you very much.

9 The floor is not open for questions.

10 DR. GRUNDMAN: I don't know if you can actually
11 answer this question, but you brought up that the results
12 were positive. Given the different rates, do you mean that
13 the results are positive for the comparison groups as a
14 whole or for the pure placebo, the pure VAD group and the
15 mixed VAD.

16 DR. LILIENTFELD: The study was powered for the
17 groups combined but, in fact, that slide is appropriate
18 for--

19 DR. GRUNDMAN: Both subgroups.

20 DR. LILIENTFELD: For both--however you want to
21 define it.

22 DR. GRUNDMAN: Placebo treatment comparison
23 results.

24 DR. LILIENTFELD: I cannot--

25 DR. GRUNDMAN: You can't; right. But the reason I

1 am bringing it up is because there wasn't really much of a
2 decline. So, basically, in this sort of a treatment
3 modality, you would actually have to show an improvement,
4 which gets back to the questions I was raising to the
5 previous speaker.

6 DR. KAWAS: Dr. Lilienfeld, may I ask you--the
7 people were classified as mixed or pure by the clinicians.

8 DR. LILIENFELD: Yes.

9 DR. KAWAS: Did you go back and look at what
10 baseline characteristics distinguish these two groups?
11 What, basically, was the clinician using to separate these
12 two foci?

13 DR. LILIENFELD: They applied the NINDS/AIREN
14 criteria, at least if they met the diagnosis of probable
15 vascular dementia, then they labeled those patients probable
16 vascular dementia. If they did not, that usually meant that
17 there was no temporal relationship between the vascular
18 disease and the onset of dementia, usually the subcortical
19 patients.

20 They ran into the problem that I think the speaker
21 before me--

22 DR. KAWAS: But there wasn't a particular part of
23 the criteria.

24 DR. LILIENFELD: No.

25 DR. KAWAS: For example, sometimes the difference

1 between possible is neuroimaging. But that wouldn't be the
2 case here because you used neuroimaging in both groups.

3 DR. LILIENTFELD: Yes.

4 DR. KAWAS: But if you looked at the baseline
5 characteristics of the two groups after the clinicians
6 separate them, nothing stands out.

7 DR. LILIENTFELD: Very subtle differences. They
8 are not statistically significant. The ADAS-Cog scores
9 differed by 1.2 points at baseline, 22 point and 23 point,
10 something. I don't remember exactly. But the groups are
11 statistically not differentiable in baseline
12 characteristics, MMAC scores, ADAS-Cog scores, that type of
13 feature. They were differentiated on the NINDS/AIREN
14 criteria.

15 DR. KAWAS: Whatever they did, to my mind, they
16 did an interesting and good job of separating them into
17 something. Hopefully, what they separated them into is
18 mixed and pure. If that is the case, the mixed group, in
19 every single parameter, clearly shows a much more rapid rate
20 of decline.

21 DR. LILIENTFELD: Yes.

22 DR. KAWAS: The mixed group, in every parameter,
23 looked almost like Alzheimer patients, as you point out.

24 DR. LILIENTFELD: Yes.

25 DR. KAWAS: So, certainly, for that group, the

1 effect conceivably could be mediated via Alzheimer's
2 pathology rather than the other.

3 DR. LILIENTFELD: But not for the other--if the
4 other group does not have Alzheimer's disease, then--

5 DR. KAWAS: It would have to show an effect, also.

6 DR. LILIENTFELD: Yes.

7 DR. PENIX: One point of clarification; you did,
8 for your probable vascular-dementia group, modify the
9 NINDS/AIREN criteria.

10 DR. LILIENTFELD: That's correct; probably not
11 requiring memory.

12 DR. LILIENTFELD: That's correct.

13 DR. PENIX: Did you require memory for the mixed
14 dementia group?

15 DR. LILIENTFELD: Yes.

16 DR. CHUI: I think it is very encouraging, like a
17 break in the sky, that there is evidence that we can
18 diagnose mixed. That is very, very encouraging. It is
19 amazing because the mantra for so many years has been that
20 we were stuck.

21 I think, maybe, I can venture, it is maybe the
22 neuroimaging now that is helping. If I can pursue your
23 questioning a little bit more to try to find really what was
24 the clinical characteristic that helped the clinicians
25 separate the mixed from the pure, could it have been a

1 history of slowly progressive dementia, that if that was
2 present, they would automatically go into a mixed?

3 DR. LILIENTFELD: It is difficult for me to be
4 certain about what is was that led to the difference. In
5 terms of the data that we have collected which is,
6 obviously, limited compared to what the clinician has at his
7 disposal, the baseline characteristics of the two population
8 groups look the same. The diagnosis is different.

9 The only difference, by the protocol, is the
10 inclusion criterion. One meets the criterion for probable
11 vascular dementia and the other meets the criterion for
12 possible vascular dementia. So we didn't do an
13 epidemiologic study. We said, apply the criteria and they
14 either have probable vascular dementia or possible.

15 They applied them and they look different.

16 DR. CHUI: I understand.

17 DR. LILIENTFELD: But I can't tell you what it is.

18 DR. CHUI: We want a psychoanalysis of the
19 clinician. But, a related question, would apolipoprotein
20 E4--how did it--

21 DR. LILIENTFELD: We have these data, but I don't
22 have them--

23 DR. CHUI: Would that have helped with the mixed.

24 DR. LILIENTFELD: We have these data but I don't
25 have them available at the moment.

1 DR. LILIENTFELD: One last comment about the
2 radiology findings. You had them divided into four
3 different categories; multiple cortical, strategic, multiple
4 lacunes and white matter. You said that at least two-thirds
5 of the patients with the vascular or mixed fell into one or
6 more of those four categories so that it would be difficult
7 to separate into the subtypes.

8 But, actually, I just wanted to mention that, of
9 those four, actually, the multiple cortical could be
10 considered large-vessel. The strategic would be subdivided
11 by large and small. Then the multiple lacunes and white
12 matter would be small, so that you really can take those and
13 divide them--the four can be grouped as two, lumped as two.

14 DR. LILIENTFELD: Yes.

15 DR. CHUI: Then you might be able to have a
16 subtype.

17 DR. DUARA: Helena, I just wanted to ask you about
18 a comment that you made. You said, "At last we have data
19 that shows us that we can identify mixed dementia." But my
20 interpretation of what was shown was, actually, that you
21 can't distinguish between mixed and Alzheimer's disease.

22 What you may be able to distinguish is between
23 what was called probable vascular dementia or, perhaps, pure
24 vascular dementia and that the characteristic is just that
25 they deteriorate at different rates, which may only mean

1 that they have less of Alzheimer pathology than the mixed
2 group has.

3 DR. KAWAS: But I think our point is that they
4 were identified prospectively and, no matter what the
5 underlying groups are, they separated into two groups by
6 course, the course of the two individuals.

7 DR. DUARA: The probable did, but not the mixed
8 group. The mixed group were identical to the Alzheimer
9 group.

10 DR. CHUI: No; I agree with you. I think there
11 are two boundaries between mixed. One is mixed versus AD
12 and the other is mixed versus pure vascular. If I am
13 understanding correctly, there is no good distinction
14 between mixed versus Alzheimer, but there was a distinction
15 between mixed versus pure vascular.

16 DR. LILIENFELD: Obviously, in this particular
17 study, there is no group that was prospectively identified
18 to have probable Alzheimer's. The comment that I made was
19 that it is comparable to previous studies we have done. The
20 real comparison here can only be between mixed and probable.

21 I suspect that your argument is completely
22 correct, but that comparison we didn't do in this study.

23 DR. CHUI: You don't have pure Alzheimer's in this
24 study?

25 DR. LILIENFELD: In this particular study.

1 DR. CHUI: Oh; I see.

2 DR. VAN BELLE: I don't know the patient
3 population, but could it be the case that the mixed group is
4 sicker in some sense than the pure vascular dementia? I
5 don't know. I am thinking--I tend to be a continuum person
6 rather than a splitter. So are we really talking about two
7 distinct clinical entities or are we talking about a
8 continuum and you just have picked out one piece of the
9 continuum versus the other?

10 Do you know whether the mixed group is sicker than
11 the other group?

12 DR. LILIENTFELD: I don't believe, in terms of the
13 tolerability--it is clearly not the ideal way to study this,
14 but in terms of the adverse events seen and tolerability,
15 the groups were different. That is the only data I can give
16 you from a clinical trial, obviously. The cardiovascular
17 risk factors appear to be more or less the same between the
18 two groups.

19 As I say, at baseline, we were not able to
20 differentiate the two groups on any demographic-type data we
21 collected, including use of antihypertensives, previous
22 myocardial infarctions, this type of thing.

23 DR. GRUNDMAN: My question is actually along the
24 same lines. Claudia pointed out that the two groups seem to
25 separate, and it seems like the validity of this is based on

1 their subsequent course. But, again, getting back to the
2 point that was just raised as to whether or not there were
3 some baseline factors that may have been different between
4 the groups, such as their baseline Mini-Mental scores or
5 their demographic, education, those sorts of factors, that
6 might have influenced the rate of progression.

7 DR. LILIENTFELD: Those factors--the two you have
8 mentioned--were not dissimilar between the groups. So the
9 standard dementia variables are matched.

10 DR. GRUNDMAN: So both the pure and the mixed were
11 all about 20 on their Mini-Mental, the pure weren't, like,
12 higher Mini-Mental scores?

13 DR. KAWAS: I think we have asked Dr. Lilienfeld
14 this question, three people, three times, and the answer is
15 no.

16 Do we have any more questions for Dr. Lilienfeld?

17 DR. KATZ: It is an interesting finding. Are you
18 planning on repeating it?

19 DR. LILIENTFELD: We have planned studies excluding
20 the mixed population on the basis of discussions with your
21 organization.

22 DR. WOLINSKY: I suppose it is a sort of related
23 question because, at least in my mind, if you are viewing
24 dementia as a symptom with multiple diseases contributing to
25 it, and you have been able to have symptomatic therapy that

1 overcomes some aspect of the target symptom in a relatively
2 defined but still mixed patient population, why wouldn't you
3 repeat the study, if you need to repeat it, across dementia
4 and then worry about how the subgroups fall out later on,
5 especially if the issue is symptomatic versus
6 disease-related therapy which, it seems to me, is frequently
7 going to be the base in patients defined for dementia as the
8 target treatment.

9 DR. LILIENTHAL: I think, from the industry
10 perspective, if the label was able to reflect a broad
11 dementia population, we would be encouraged to study that
12 population. The current labels clearly indicate not even
13 the whole Alzheimer's population but a defined subset of the
14 Alzheimer's population.

15 Up until now, the direction has been that we would
16 need to specify the subgroup of demented patients we were
17 studying. And so we have followed that direction and tried
18 to be splitters rather than lumpers. But the question is
19 clearly valid if the indication of dementia, all comers, is
20 acceptable, we could study it.

21 If I was going to argue from Dr. Katz's seat, I
22 would say you put in 97 percent Alzheimer's patients and you
23 can be assured of your outcome and you call it dementia.

24 DR. KAWAS: Dr. Katz, would you like to comment?

25 DR. KATZ: Yes. Certainly, up until this point,

1 we have encouraged sponsors, or sort of the tradition has
2 been that you study a particular dementia and it has always
3 been Alzheimer's disease because, right or wrong, the field
4 believes, the community believes, that that is a dementia
5 that is specific to a specific pathology, and we have not,
6 to date, considered dementia as a global symptom that is
7 sort of homogenous and cuts across a whole series of
8 underlying pathologies.

9 It is an intriguing idea that, perhaps, someday,
10 maybe someday soon, we will consider it that way. We have
11 not to date and I think today's discussion is very important
12 toward the end of deciding, sort of maybe in a global sense,
13 what is dementia, if there really is a blurred distinction
14 between vascular and Alzheimer's dementia.

15 Maybe one outcome is we ought to be looking at
16 dementia as a symptom. But, again, the question here today
17 was is vascular dementia a specific syndrome analogous to
18 Alzheimer's dementia being a specific syndrome. This is
19 what I would like to hear people discuss--after lunch.

20 DR. KAWAS: I second that. I think we have had an
21 excellent morning and we have got a lot of things to discuss
22 this afternoon. We will reconvene at 1:45.

23 [Whereupon, at 12:35 p.m., the proceedings were
24 recessed to be resumed at 1:45 p.m.]

1 ears heard a lot of different criteria proposed for vascular
2 dementia, both this morning and over the years. But it
3 seems to me that, increasingly, people were favoring one
4 particular criteria and that was NINDS/AIREN criteria.

5 We also heard several times during the course of
6 the morning about the usefulness of adding or including
7 imaging to the diagnosis criteria as a means of improving
8 particularly specificity and sensitivity. We also had, to
9 my mind, a rather astonishing demonstration of at least
10 physicians in one study, a large group of physicians in
11 Europe, apparently had the ability to divide
12 vascular-dementia patients into two categories, those with
13 pure vascular disease and those with Alzheimer's or other
14 processes, potentially, in a mixed form of vascular
15 dementia.

16 We were never, overall, allowed to get an
17 opportunity to see the construct validity of these criteria.
18 For the most part, as Dr. Katz asked us repeatedly, I think
19 we heard that there are not excellent clinical pathological
20 correlations, if that were to be the gold standard or one
21 way of determining validity. But, still, in the context of
22 that study, I think it was notable that there was some
23 predictive validity of the two groups that were divided by
24 the clinicians in the study, presumably reflecting two
25 different pathologies of some sort.

1 So, if I could open the floor for a discussion on
2 the first two questions of the validity of criteria for the
3 diagnosis of vascular dementia, and I will throw in mixed
4 here, also, and whether or not these criteria could be taken
5 out into the community.

6 DR. PENIX: I think that I agree. There seemed to
7 be agreement that the NINDS/AIREN criteria were the ones
8 that are used more frequently. Again, there are a number of
9 discussion points that emphasize that the requirement that
10 memory be included as one of the diagnostic criteria may
11 confound or may actually increase the number of Alzheimer's
12 patients that are included in those studies.

13 Certainly, again, there are only discussions about
14 it. Dr. Roman indicated that, certainly, they used the
15 requirement for memory because they were modeling the
16 Alzheimer's disease criteria but clearly mentioned that
17 there probably is a need to revise that.

18 Certainly, the Janssen study indicates that when
19 they used that revision, it clearly showed that there was a
20 difference in, I guess, the pure vascular dementia from the
21 mixed group. So I think that my concern is using memory as
22 a requirement--and it is unfortunate that there is very
23 little data about neuropathological correlation with the
24 original criteria and there certainly is none on a
25 modification.

1 But I think that we should consider whether we
2 should include the requirement for memory.

3 DR. KAWAS: Do any of our invited speakers want to
4 comment on making that change?

5 DR. GORELICK: Just one quick comment. The
6 criteria that some people are proposing for vascular
7 cognitive impairment doesn't have the memory requirement in
8 there, necessarily, and Helena's criteria doesn't have it in
9 there.

10 I agree with you. I think that you are enriching,
11 or you have the chance of enriching, to group of Alzheimer's
12 patients by doing that.

13 DR. KAWAS: So, in answer to the first question,
14 can vascular dementia be clearly defined in a clinical
15 setting. Can we take those criteria out into the clinical
16 setting, in the opinion of the people around the table and
17 from what they have heard today?

18 DR. DUARA: I think you can make a diagnosis of
19 vascular dementia and expect there to be vascular lesions in
20 the brain. If one uses the strict criteria, the NINDS/AIREN
21 criteria, I think you are not going to avoid there being
22 coexisting Alzheimer's disease or, perhaps, some other
23 pathology like Lewy-body disease being there.

24 But to a slightly lesser extent, or to a somewhat
25 lesser extent, the same is the problem with Alzheimer's

1 disease. So it is just a question of degree. With
2 Alzheimer's disease, we know there are going to be infarcts.
3 There is going to be Lewy-body disease there and there may
4 be hippocampal sclerosis, which is not related to
5 Alzheimer's disease.

6 So we are dealing with the same issues. It is
7 just a question of, in this situation, you are probably
8 dealing with more. From the data that we have, that is what
9 it suggests. But I think you can still make that diagnosis
10 and expect that pathology to be the predominant one.

11 DR. KAWAS: I don't want to put Dr. Helena Chui on
12 the spot, but since she brought up an important issue, in
13 your presentation, you suggested that, whether or not we
14 have criteria for vascular dementia, that it lacks utility
15 in the therapeutic arena and that subclassifications were
16 the approach that you would encourage people to take.

17 I think there is some merit to that that maybe
18 needs to be brought back up in this discussion now.

19 DR. CHUI: But I think that maybe I should modify
20 my position a little bit because I think I agree that
21 vascular dementia can be labeled in a clinical setting. It
22 is broad. I think it could be useful for symptomatic
23 treatment of vascular dementia but, based on its
24 heterogeneity and pathophysiology, I think, for future, more
25 disease-modifying treatments, that it would be good to look

1 at more homogeneous subtypes.

2 DR. KATZ: I will just throw this out. It seems
3 to me that there is the potential for a certain amount of
4 circularity here in the absence of good, underlying clinical
5 pathologic correlations because you can set up diagnostic
6 criteria for patients who have dementia and evidence
7 somewhere of vascular disease, whether it is by history or
8 on some imaging study.

9 It is almost circular that you would be able to
10 distinguish, on clinical grounds, patients with what you are
11 then calling vascular dementia from patients with
12 Alzheimer's disease or other dementing illness because you
13 have defined it that way.

14 You said, "I am going to call people who have
15 dementia and vascular disease vascular dementia." So it is
16 not surprising that you should be able to distinguish
17 patients with vascular disease and dementia and patients
18 with dementia without vascular disease.

19 Obviously, I have said it before, but in the
20 absence of strong pathologic correlation with these clinical
21 criteria, to be able to say, "Well, we know we can diagnose
22 vascular dementia on clinical grounds," seems almost
23 circular. Anyway, I will throw that out and see what people
24 think.

25 DR. GRUNDMAN: We are getting into one of these

1 little semantic arguments. Would it make more sense to just
2 call it cognitive impairment in the presence of strokes?
3 Would that satisfy the problem?

4 DR. KATZ: I don't know if it would satisfy the
5 problem. I think it would be more descriptive and
6 less--again, I think the term vascular dementia implies that
7 there is a causal relationship between the underlying
8 vascular disease and the dementia whereas to say dementia
9 with associated vascular disease, I think, is potentially
10 more accurate.

11 On the other hand, I am not sure it is terribly
12 useful. You can find people with dementia and red hair. I
13 don't know that it is a critical distinction. What I am
14 trying to find out is what is the evidence that there is a
15 critical link.

16 DR. GRUNDMAN: It might depend on each drug that
17 might come forward but, in the case that we looked at
18 before, if you classify dementia with stroke or dementia in
19 the presence of stroke, it didn't seem to matter which group
20 you were in, whether you were in the mixed group or the
21 other group. In this particular case, the drug also works
22 in Alzheimer's disease, so I think you have got all your
23 bases covered.

24 DR. KATZ: I don't think it is a drug-specific
25 question. At least, I am trying not to make it to be a

1 drug-specific question. I am simply asking a question about
2 how do you describe the clinical entity. I don't think it
3 depends on whether or not you have a treatment for it or
4 not.

5 I am just trying to figure out what is an accurate
6 way to describe these patients.

7 DR. GRUNDMAN: It probably would be more accurate
8 to say that it is dementia in the presence of stroke because
9 then you are not making any assumptions about the causality.
10 But that is what you are observing empirically.

11 DR. CHUI: I think the construct validity, the
12 question of construct validity, the pathologic gold standard
13 for vascular dementia, is illusive. Maybe there are other
14 ways of getting at the causality.

15 I think, for vascular dementia, what we can do is
16 you can see most of the pathology on the MRI and then, at
17 pathology, at the autopsy, you confirm that those lesions
18 are there and they are ischemic. At autopsy, we really
19 don't have any more information than we have from the MRI
20 about their causal relationship, so we can't really look for
21 the pathology to inform us more about the causality.

22 So we mustn't expect the same of the pathology for
23 construct validity of vascular dementia as we do for
24 Alzheimer's disease. So I think we should look for
25 alternative ways for defining construct validity. I think

1 that we can confirm that they are ischemic lesions but not
2 confirm that they had a causal relationship.

3 DR. KAWAS: Can you suggest some alternative ways
4 for construct validity?

5 DR. CHUI: One is the absence of Alzheimer
6 pathology so no other explanation, kind of like the NINDS
7 criteria for Alzheimer's disease, exclusion of other
8 pathologic explanations for the dementia. And then you have
9 the vascular pathology.

10 We do have enough evidence that the lesions were
11 in the right location that are important for behavior.
12 There is a whole database on that. The causal relationship
13 between the stroke--the temporal relationship,
14 rather--between the stroke and the cognitive decline is
15 causal evidence, circumstantial to some extent, but it is
16 causal evidence. The NINDS criteria are conservative, but
17 that is what they require.

18 So those are other ways of trying to garner
19 evidence for causality.

20 DR. KATZ: I agree that you can't establish
21 causality based on the pathologic picture. You can't do it
22 for Alzheimer's disease, either, I suppose. You can say
23 there is a stereotypical picture, a pathologic picture of
24 Alzheimer's disease. It is hard to know whether or not what
25 you are looking at is causative of the disease.

1 But, again, I am sort of struck by your own data
2 which suggested that there isn't very good correlation
3 between the white-matter lesions and the degree of dementia,
4 or, perhaps, the presence, even of dementia although you
5 suggest the atrophy of various structures. Hippocampal
6 atrophy and cortical atrophy are better correlated.

7 But if it were the case that, routinely, there was
8 a very good correlation with the degree of white-matter
9 disease or the lack of Alzheimer's-like findings in the
10 brains of patients who were diagnosed in life with vascular
11 dementia, even though that wouldn't be proof of casualty, it
12 would be stronger evidence, it seems to me, than what we
13 have now which suggests that lots of patients who are
14 diagnosed with vascular dementia have a fair degree of
15 pathology findings that are consistent with Alzheimer's
16 disease.

17 So I agree, you can't establish causality, but
18 there could be stronger correlations, let's say, or cleaner
19 or purer. I know it is hard to get those, of course.

20 But the other thing that maybe we can address,
21 also, with regard to this question has to do with the
22 clinical picture. How well-established would you say it is,
23 and how good is the evidence, that the clinical picture of
24 vascular dementia, sort of typical clinical picture,
25 whatever that is, of vascular dementia is really very

1 distinct on clinical grounds from Alzheimer's disease.

2 People are talking about this sort of executive
3 dysfunction in patients with the diagnosis of vascular
4 dementia. Is there sort of good evidence establishing that
5 that is relatively specific for the clinical diagnosis?

6 People have been talking about these various frontal lobes.
7 Has that been documented or is it something that people sort
8 of, in their experience, think they see?

9 DR. PENIX: Jeff Cummings has written about the
10 front executive abnormalities in vascular dementia and--

11 DR. KAWAS: And in Alzheimer's dementia.

12 DR. PENIX: Exactly; sure. And I wanted to make
13 another point; in regards to a gold standard, there is no
14 pathological gold standard for diagnosis of vascular
15 dementia. That was one of the discussion points that was
16 raised in the NINDS/AIREN study, that we needed to establish
17 a pathological criteria for vascular dementia.

18 There are several that are available for
19 Alzheimer's disease. So part of the problem is that we
20 don't have an agreed-upon standard neuropathological
21 criteria. Therefore, I think we have to rely on surrogates.
22 It looks like the MRI or imaging data is probably the best
23 that we have.

24 DR. KATZ: Perhaps the lack of pathologic
25 correlation is part of the problem. I suppose one doesn't

1 have to rely on something else. You can be at various
2 stages in the development of a particular diagnosis.
3 Sometimes, you are not there yet. You are not there at the
4 point where you have a good idea of how to define this
5 because you are lacking a critical piece of the puzzle.

6 Maybe that is the case here. I am just raising
7 that as a possibility. I know people want to make the
8 diagnosis. The question is are we at a point, is the field
9 at a point, where they can confidently say yes, these are
10 the criteria to be able to diagnose vascular dementia and we
11 know that the vascular component is what is responsible for
12 the dementia for the following reasons.

13 If there is a big hole in that list of reasons, a
14 critical absence of data, maybe you just have to say we
15 don't know yet.

16 DR. KAWAS: Would anybody else like to answer Dr.
17 Katz' question about the role or the prevalence of executive
18 dysfunction in vascular dementia versus Alzheimer's?

19 DR. GORELICK: I don't think it is specific. Don
20 Royale, who is one of Gustavo Roman's colleagues, has
21 published a lot on this. They have an interview that is
22 geared toward detecting executive dysfunction. What they
23 are now saying is that this may be an early sign in
24 dementia. So we are talking about Alzheimer's, as you have
25 alluded to, Claudia. We are talking about vascular

1 dementia.

2 Because of the cutoff or disconnection syndromes
3 that occur, if you will, because of small, deep infarcts
4 and, often times they are in the frontal white matter, that
5 is why you may tend to see a lot of that in so-called
6 vascular dementia. But I don't think it is specific.

7 The other comment that I wanted to make is I agree
8 with what Michael said. We have continued to use the term
9 dementia associated with stroke, which is basically similar
10 to what you are saying over the years. The reason why we
11 use vascular dementia or vascular cognitive impairment or
12 whatever we are talking about is because people have
13 accepted those terms, but I think there still is a murkiness
14 about this. That is why we have had more of a broad net,
15 dementia associated with stroke, in our publications.

16 DR. KAWAS: Which one of you wants to talk about
17 the executive dysfunction part first and then we will go on.

18 DR. DUARA: There was a paper in Neurology, either
19 earlier this year at the end of last year, that addressed
20 the frontal subtype of Alzheimer's disease. I don't know if
21 any of you are aware of that paper, but basically they
22 looked at people who had basically a frontal-lobe syndrome.

23 If you look at the tests that they used to
24 establish that, they were all the executive-function tests
25 that one would use, plus others. So there is an executive

1 dysfunction that occurs in Alzheimer's disease. There is a
2 subtype of Alzheimer's disease that presents with primary
3 frontal-lobe pathology.

4 That is what they showed in the paper, that those
5 patients, on pathology, had primarily frontal-lobe lesions,
6 plaques and tangles. So I agree that you can't really
7 distinguish patients with vascular dementia from Alzheimer's
8 disease based on executive dysfunction.

9 DR. KAWAS: Not to be too naive about it, I know I
10 went to school and spent a lot of money to learn this, but
11 can somebody tell me if the frontal dysfunction in vascular
12 dementia is anything more than the frontal lobes in terms of
13 brain tissue is about equal to all the rest of the brain put
14 together.

15 Even in strokes that only happened randomly, you
16 would expect more "frontal" signs than you would occipital
17 or whatever. Are we sure it is even more than that, the
18 observation that people are making about frequent frontal
19 dysfunction in these people?

20 DR. CHUI: I think that the notion that
21 frontal-lobe dysfunction is greater in vascular really comes
22 from the subcortical subtype because if you have a left
23 middle cerebral-artery stroke, you know that is aphasia. If
24 you have a right middle cerebral-artery, you know that is
25 neglect. That is not a frontal predominant syndrome.

1 I think that this notion is coming from the
2 subcortical subtype. The distribution of lacunes in
3 subcortical gray matter and white matter is predominantly in
4 the frontal lobes. This was a paper written by Ishi--I
5 showed the slide--1986 in Neurology. Why does frontal-lobe
6 symptomatology predominate in vascular dementia. He was
7 talking about lacunes, this SIVD subtype.

8 He has a nice diagram there showing the map of all
9 the hits in the cases. You wouldn't confuse the front from
10 the back. The front was top-heavy, full of lacunes. He
11 never really answered why, why are those vascular. Those
12 are the areas that have the frontal subcortical loops, this
13 notion.

14 So I think there is as clinical pathologic
15 correlation explaining why there is as predominant frontal
16 executive dysfunction syndrome in SIVD.

17 I think your question, Dr. Katz, about the
18 clinical path correlation--it is a real challenge to us in
19 neurobehavior. I think the answer is no, we cannot do it
20 now, just the frank answer. We certainly can't do it by
21 taking a single domain and saying that this pattern is
22 specific.

23 Maybe as we have more information, technology, and
24 so forth, we are going to be able to address this in
25 multidimensional ways. For example, just to take it to two

1 dimensions, it could be that the Alzheimer pattern is a
2 greater loss of recall and an equal loss of recognition
3 memory with a greater loss of animal fluency than letter
4 fluency, and this SIVD pattern is better recognition memory
5 and equal involvement of animal versus FAS but worse than
6 the Alzheimer when you control for overall severity of
7 dementia.

8 So I think that, in the future, maybe we will be
9 able to get looking at the lesion distribution, whether we
10 are looking at imaging MR or pathology. I think they are
11 the same thing. We are just looking at the distribution of
12 lesions and saying, based on what we understand about the
13 networks, the cognitive networks in the brain, predict the
14 behavior, then take the patient, measure the behavior with
15 neuropsychological testing and say, how close is this fit.

16 But, right now, when we do an evidenced-based
17 search of the literature and say how good are
18 neuropsychological tests in predicting the subtype of
19 dementia, they are not very good right now.

20 DR. KAWAS: Helena, right now, all the criteria
21 are basically driven by nonpsychometric properties. You
22 were just suggesting sort of a new approach. If we were to
23 have criteria developed with psychometric testing, could you
24 envision that being taken out into the clinical setting?

25 DR. CHUI: When computers rule, out in the

1 clinical setting, maybe. It is too much data.

2 DR. KAWAS: Can I maybe summarize? I think that
3 we, as a committee, have said that we can define vascular
4 dementia in actually any number of ways. But the validity
5 of what we are defining is not completely established yet,
6 either through psychometric, pathologic or other measures.

7 We believe that, for the most part, we can
8 distinguish Question No. 3, distinguish Alzheimer's disease
9 and pull them out of these patients at least to some extent.
10 How well is yet to be determined. Could vascular dementia
11 be defined in the clinical setting would depend on which
12 criteria we ask clinicians to use.

13 It strikes me that, in part, the language of
14 stroke is already familiar to physicians, unlike yesterday
15 where we were talking about a language that physicians have
16 not been trained in, to recognize. A lot of the impact and
17 a lot of things that people on the committee said have to do
18 with reverting back to the language of stroke--i.e., the
19 risk factors of stroke and treating them, or the way we
20 categorize stroke.

21 So we actually have a physician base out in the
22 clinical arena, I think, that is a little further along in
23 getting to criteria than maybe they would be to the type of
24 thing we discussed yesterday. But that is because of all
25 the work that has been done in stroke, primarily, and not

1 the work in vascular dementia, it seems to me.

2 I would like to sort of move us to Question No. 4,
3 what outcome measures are appropriate to use in clinical
4 drug trials conducted in vascular dementia. None? Let me
5 at least give you two choices. Let's talk in general
6 outcome measures. Do we think that, in vascular dementia
7 studies, the most likely thing to be useful would be time to
8 another event, time to more severe dementia or onset of
9 dementia, change in cognition over time?

10 Do we think that the instruments and outcome
11 measures that we have been using for Alzheimer's pathology
12 should just be rolled over into vascular? That is where I
13 see most of the heads nodding.

14 DR. CHUI: With a few additions, as I think there
15 was convergence saying that the ADAS-Cog, for example,
16 doesn't really cover frontal executive functions very well
17 so we certainly would need some additions.

18 DR. KAWAS: So you would use the ADAS-Cog plus
19 additions or something instead of?

20 DR. CHUI: ADAS-Cog plus additions.

21 DR. KAWAS: Plus? Are there other additions that
22 people want to tell before Dr. Katz asks us what he really
23 wants to know?

24 DR. DUARA: I would just go with the ADAS-Cog. If
25 we are going to do a clinical trial, everybody is geared up

1 to using the ADAS-Cog. If you can show improvement on that,
2 then you have got a significant finding, whatever that
3 finding means.

4 DR. KAWAS: So the same instruments plus some
5 executive function, so far.

6 DR. KATZ: I just wanted to ask--we don't have
7 much of the committee left as I look around the table. I
8 guess you are the only two members. I will ask a question
9 which, in effect, I suppose you have been answering but just
10 to get it explicitly out, I will ask the same question I
11 asked yesterday which is are we ready to have drugs be
12 developed and approved for so-called vascular dementia at
13 this point given the questions that remain and given the
14 uncertainties about the pathophysiology and that sort of
15 thing.

16 I just want to hear someone say yes or no, we are
17 ready. I mean, we are talking about trial design already
18 so, before we sort of get into that, it just might be useful
19 for us to hear whether or not we think we are there and we
20 are at the point where we can approve a drug for the
21 indication of vascular dementia.

22 What I heard today, there were two different ways
23 to approach therapies for vascular dementia that were
24 implied by our speakers and the discussions. The ones that
25 were "potentially disease modifying," to my mind, had no

1 specificity at all towards vascular dementia. They were
2 specific towards preventing the accumulation of additional
3 vascular events of whatever sort, full-fledged strokes or
4 more hypoperfusion or whatever.

5 So, to my mind, the ones that were looking at
6 changing the underlying basis of the disease were synonymous
7 in many ways to the changing recurrent stroke.

8 The other treatments or gestalts that were
9 discussed I thought were symptomatic in many cases. We
10 launched into the discussion of should we be talking about
11 symptoms for dementia, then, and not worrying about these
12 individual differences between dementia diagnoses.

13 So it wasn't clear to me, personally, that I heard
14 anything that says that there is something unique about
15 vascular dementia as an indication for drug therapy but
16 rather that we know a lot about it, both from what we have
17 studied, other dementias as well as what we know about
18 stroke, that give us an opportunity to potentially make some
19 therapeutic proposals.

20 Does that answer your question from my opinion?
21 Then you will get Dr. Wolinsky's.

22 DR. KATZ: Maybe the answer is no. Do you think
23 that we should be in the business now of approving drugs for
24 vascular dementia or, perhaps, dementia with vascular
25 disease or should we just be worried about approving drugs

1 for dementia independent of the presumed pathology? Again,
2 companies have come to us, as you have heard. Some have
3 already performed their studies. They are looking for a
4 claim for the treatment of vascular dementia.

5 Right now, we have only permitted claims for the
6 treatment of Alzheimer's disease. They want to know whether
7 or not we can grant them a claim for vascular dementia,
8 let's say symptomatic treatment. Is that something that we
9 are ready to do, in your view?

10 DR. WOLINSKY: My own bias is that, given what I
11 have heard over the last two days, there is dementia which
12 is a cardinal and long and important manifestation of
13 Alzheimer's disease and, depending upon the length of the
14 study and the design of the study, one could look at drugs
15 which were treating the cardinal symptom or using the
16 cardinal symptom as an indication of treating the underlying
17 pathophysiologic process.

18 Those two studies have slightly different designs
19 and substantially different time tables. What I have heard
20 about dementia, which is a symptom of a variety of diseases,
21 the two main diseases of which are Alzheimer's disease and
22 whatever vascular dementia is, is that one could envision
23 studies that are designed for the symptomatic treatment of
24 dementia which would not necessarily have to differentiate
25 which of those two or mixed disorders one had accumulated

1 for those studies.

2 They probably will have to show some measure of
3 improvement and not just holding the common ground so that
4 they really are a beneficial symptomatic therapy. But they
5 will not be able to very easily make any inference about
6 whether or not they are affecting the natural history of the
7 disease, almost no matter how long they are, unless they
8 have been able to differentiate those component patients
9 that are contributing to the data in a long-term study.

10 DR. KAWAS: I am not sure I am going to answer any
11 better than before, but at the beginning of the day, I think
12 I felt differently. At this point, maybe because I had
13 lunch with Dr. Wolinsky, I actually am coming around to that
14 notion, too.

15 I, personally, have never seen data that suggests
16 that individuals who are given a diagnosis of vascular
17 dementia by one of these criteria would improve when given,
18 for example, a cholinergic agent. However, if that data
19 were to come out, it seems like, on some level, we need to
20 allow to the prescribing community the idea that these drugs
21 do have potential in these individuals in spite of their
22 diagnosis, however it was made.

23 I guess this reflects my bias, that I don't think
24 the indication, personally, is for vascular dementia, per
25 se, because I am not sure what we have identified in these

1 individuals who have a vascular component and dementia.

2 On the other hand, I think there needs to be some
3 way to express that individuals who have a vascular
4 component and dementia may respond, if they do, indeed. So
5 that brings us back to maybe we should be thinking more in
6 terms of a syndrome and symptomatic treatment no matter what
7 the perceived etiology is.

8 Then the criteria becomes a lot less crucial, as
9 long as individuals are demented and as long as the trial
10 can show symptomatic improvement of that dementia and as
11 long as retrospective analyses don't suggest that there was
12 a subgroup that did not respond and that subgroup was
13 characterized specifically by the vascular pathology, then,
14 perhaps, the indication of dementia for symptomatic trials
15 is not as far-flung as I thought it was this morning.

16 Do we have comments from the other invited--

17 DR. CHUI: I just want to be sure, though, that
18 when you are suggesting that we might just drop the
19 etiologic label from dementia, we are not opening it too
20 far, we are not suggesting that a symptomatic treatment
21 would be also for frontal-temporal dementia or dementia of
22 the Lewy-body type; we are talking specifically about
23 Alzheimer's, vascular and the mixed and putting those two
24 together.

25 DR. KAWAS: I would argue why do you think that a

1 particular drug that helps those two won't help
2 frontal-temporal dementia. I would argue you don't know
3 until you try.

4 DR. CHUI: Yes; you can try, but the data would
5 have to, again, support that. You would have to know that
6 you had frontal-temporal-dementia patients in there and see
7 if they improved. There is anecdotal data that actually
8 anti-cholinesterase worsens the symptoms of frontal-temporal
9 dementia.

10 DR. WOLINSKY: The greatest difficulty in this
11 kind of thing would be the potential for losing first
12 principles and not excluding hypothyroidism and B-12
13 deficiency and chronic anemia and underlying liver disease.
14 But I don't think any of us are suggesting that.

15 DR. DUARA: There is also anecdotal data, in fact,
16 studies, that show that patients with diffuse Lewy-body
17 disease respond very well to cholinesterase inhibitors. So
18 why wouldn't we use it for those individuals?

19 But I think Lewy-body dementia is also a sort of
20 an example here. If you look at the pathology studies that
21 I presented earlier this morning, Lewy-body dementia was
22 more common than vascular dementia and yet you are saying,
23 Dr. Katz, that people are coming to you for improving an
24 indication for vascular dementia. Why aren't they coming
25 for Lewy-body dementia?

1 I just wonder about that question because there is
2 already much better data showing that Lewy-body dementia
3 does respond to these drugs.

4 Of course, if somebody tried to do that, they
5 would have an even bigger problem than they have with
6 vascular dementia because it is going to be almost
7 impossible to try to distinguish between those two entities.
8 So maybe that is why they asked for that indication.

9 The reason they are asking for vascular dementia
10 is that they think they have a fair chance here of
11 separating the two. What you have asked, over and over
12 again, is can we really say that we are really talking about
13 vascular dementia.

14 I am not quite sure where to go, given all the
15 data that we have. But my leaning is certainly to say that,
16 with the criteria, with the strictest criteria we have--and
17 that will really exclude a lot of patients who may be
18 categorized as vascular dementia by various other criteria,
19 obviously--so it would be a rather small subset of patients.
20 But, in those patients, we have a pretty good indication
21 that we are dealing with patients that have a lot of
22 vascular pathology.

23 It may be that the mix of having a vascular
24 pathology with Alzheimer's pathology or diffuse Lewy-body
25 dementia pathology, that is a separate indication, maybe. I

1 don't know. But I think we should think about it in those
2 terms. I would be in favor of distinguishing vascular
3 dementia as an entity and seeing if there is an indication
4 for it.

5 DR. KAWAS: Other comments? Dr. Katz? Shall we
6 go on to, should clinical drug trials in vascular dementia
7 incorporate any special features in their design?

8 DR. GORELICK: I think we have got to make sure we
9 know what the target is. I know this is not a specific
10 issue you want to hear, but given all the published data on
11 clinical trials in vascular dementia, or what we are calling
12 vascular dementia, we have struck out every time.

13 I don't think we have gotten to first base. We
14 certainly haven't hit a home run. Of course, that is
15 excluding what we heard here today. There may be very
16 promising data that is in pipeline that will be coming out
17 from the speakers we heard from, but I guess we have got to
18 go back to square zero.

19 Right now, the trend in vascular dementia is that
20 we are hoping that the subcortical form that Helena has
21 talked about is going to save the day and we are going to be
22 able to define that and that we are going to be able to jump
23 from there because if that doesn't happen, we've got a big
24 problem.

25 I think people are going to have to take a very

1 careful look at that subcortical form, understand a little
2 bit more about the natural history of it, or as best we can
3 tell, the natural history, these placebo groups that we are
4 seeing in these studies and correlate it with imaging
5 studies from these specific trials are going to be very,
6 very important.

7 But, again, I get back to that same issue with
8 neuroprotectants. We have struck out there and I think we
9 made a leap of faith and we jumped from one stage in
10 development all the way to the final stage and I don't want
11 to see that happen in vascular dementia, as we call it.

12 DR. KAWAS: Good point. I am not sure that we
13 have helped very much. Have we confused very much?

14 DR. KATZ: Yes; but sometimes that is helpful.
15 Yes; it is a tough issue, obviously, but I think it has been
16 very helpful.

17 DR. KAWAS: I really do feel like an extension of
18 what we have been hearing. It really is important to
19 separate out whether you are talking about therapies that
20 are going to affect the underlying pathology versus
21 therapies that, in some way, whether we know the mechanism
22 or not, are symptomatically affecting the process.

23 To my mind, if it is affecting the underlying
24 process, I don't think there is an indication. The
25 indication is the indication of stroke and preventing

1 stroke, not dementia, per se, or vascular dementia, per se,
2 either.

3 I don't personally think that we have anything for
4 underlying process in the pipeline other than what we have
5 already in our anti-stroke armamentarium. So, in that
6 sense, I, personally, do not see it as an indication.

7 But I am concerned about the possibility that
8 cases that someone, somehow, has decided are vascular
9 dementia might respond to these therapies and how to insure
10 that they would get included in the fold is of concern.

11 DR. KATZ: Again, I agree. We talked about it a
12 little before. If there is a group of patients in whom
13 appropriate treatments are not yet indicated and yet it
14 works in those people, it is useful to have those out there
15 and they need to be somehow--again, I think most of what we
16 have been grappling with here is how to describe that, how
17 best to describe it.

18 That is very important from our point of view for
19 various reasons. But, obviously, if the drug helps people
20 who haven't been studied before, that would be very useful
21 to know and we will have to decide how best to explain that.

22 DR. CHUI: I do want to respond are we ready to
23 move forward. I think we are. I actually I think move
24 forward with clinical trials and approvals for vascular
25 dementia. I think we are ready to move forward based on

1 what the data show and looking at how the groups were
2 defined and just use what the data show how the groups were
3 defined to move ahead with the labeling.

4 The diagnosis of Alzheimer's disease has its
5 problems because we don't have a biomarker for Alzheimer's
6 disease, and yet we have gone forward with that. If we
7 could see the neurofibrillary tangles and neuritic
8 plaques--of course, they are not the beginning of the
9 problem either, but if we could see them and we saw them
10 throughout the cortex, we would say this person has
11 Alzheimer's.

12 We don't have that. We are labeling, we are
13 allowing treatment for Alzheimer's disease. We have no
14 notion of the pathology in Alzheimer's disease, but we
15 assume that the pathology is there. It is causing the
16 dementia.

17 For vascular dementia, we have the opposite. We
18 can see the pathology. We can see it in the imaging. We
19 just don't know if it is causing the dementia. So there are
20 two sources of uncertainty for both diagnoses. It is just
21 that the uncertainty is in a different camp.

22 In the Alzheimer's, we don't know if the pathology
23 is there but we assume, if it is there, it is causing the
24 dementia. In the vascular camp, we can see the pathology.
25 We just don't know if it is causing the dementia.

1 So I think that it is really a tossup. There is a
2 certain amount of uncertainty around both of them. I think
3 we are ready to move forward with treatment for Alzheimer's
4 because people diagnosed by these criteria have
5 such-and-such a predictive value in the sense that it is not
6 perfect, but the data show that it helps.

7 I think the same, the NINDS/AIREN criteria are
8 very conservative so we are erring on the conservative side.
9 We are picking people that we really think, by all of our
10 best knowledge at this time, probably have a causal
11 relationship between the vascular disease we see and the
12 clinical syndrome.

13 So if the data show that these patients diagnosed
14 with these criteria are improving, then I think that that
15 should speak for itself.

16 DR. KAWAS: Can I ask if you think we are ready to
17 move forward with studies of people with pure dementia,
18 mixed vascular dementia, put them both together and call
19 them one group, like dementia with a vascular component of
20 unclear significance?

21 DR. CHUI: Both. I think what we saw today, what
22 was presented, seemed reasonable to me, that I think the
23 data from Europe with galantamine showing that these groups
24 have different courses, they have predictive validity. Then
25 we would look at the interesting results as they come out.

1 DR. KAWAS: Since we haven't been able to see the
2 galantamine results yet, I can fantasize in any direction I
3 want. What if the results, for example, showed a
4 substantially larger treatment effect in the mixed group
5 than it did in the pure group? What would you think, or
6 interpret or feel about indication and labeling then?

7 DR. CHUI: I think you would say that it is
8 effective for people with mixed. I would extrapolate--

9 DR. KAWAS: Why wouldn't you just say it is
10 effective for people who have Alzheimer's disease, whether
11 or not they have a stroke, also?

12 DR. CHUI: That's fine, too. It just semantics.
13 I could do that, too. Either way. I think if the drug
14 effect is greater in the mixed group than it is in the
15 vascular group, then I would interpret that as saying that
16 it is an Alzheimer effect. There is kind of an Alzheimer
17 dose-effect there. If there is more Alzheimer's disease,
18 then you see a greater effect.

19 But, as you said before, or you said, Ranjan,
20 right now the indications, the labeling for cholinesterase,
21 are limited to people with pure Alzheimer's disease. If it
22 works also in people that have Alzheimer's disease plus a
23 vascular lesion, why should we prevent them from getting
24 treatment?

25 DR. KAWAS: But that is different from saying it

1 works in vascular dementia. We already know it works in
2 Alzheimer's disease. Then you can just say it works in
3 Alzheimer's disease even if you have a stroke.

4 DR. CHUI: Fine. Then the next is what does it
5 show in the other group, the one that is defined by
6 NINDS/AIREN. That is the interesting one.

7 DR. KAWAS: Would something only get the
8 indication for vascular dementia if it worked in the pure
9 group, then, presumably, at least as well, if not better?
10 But if it worked in the mixed group, then maybe it really
11 isn't--

12 DR. CHUI: Right. To me, that is a reasonable
13 recommendation.

14 DR. KAWAS: Does that help? Who else wants to
15 help Dr. Katz?

16 DR. DUARA: I think the cleanest way to do this,
17 actually, would be--and I don't know if anyone will do it,
18 or maybe they are already doing it, is to look at people who
19 have had a stroke and treat them with whatever is being
20 proposed. Let's say it is a cholinesterase inhibitor and
21 see what happens to these people versus those who don't get
22 cholinesterase inhibitors and see whether the cognitive
23 impairment that you could detect presumably--I mean, we are
24 talking about people who have had a stroke in whom you can
25 see a cognitive deficit, which you presume is a result of

1 the stroke, and seeing what happens to these people in a
2 double-blind controlled study.

3 But if we don't have that data, in the absence of
4 that kind of data, I think that we should certainly consider
5 what Helena just said which is look at people who are
6 diagnosed to have vascular dementia.

7 DR. KAWAS: So it sounds like people are
8 interested in moving ahead at least with studies so that
9 they will have more information.

10 I think it has been a very interesting discussion.
11 We will take a few more comments, but if anyone has any
12 specific questions or things they want to bring up, now is
13 the time.

14 DR. IDDEN: Hi. My name is Dr. Joanna Idden from
15 Cambridge in England. I just wanted to go back to a point
16 that you skimmed over a little earlier and then someone else
17 jumped to something else which is what outcome measures
18 should be used in clinical trials.

19 I was very interested to find that the two
20 speakers here actually said ADAS-Cog. Dr. Chui said
21 executive function tests. I am a neuropsychologist. I am
22 an independent neuropsychologist and I very much feel that
23 this is a very interesting question. It is something I am
24 always asked and it is something that is a big problem for a
25 lot of people, deciding on the outcome measures in their

1 studies.

2 I believe that there are many, many valid tests
3 that have been well developed, very sensitive, very
4 specific, that may look at both executive function and other
5 areas of function, verbal measures, et cetera. They are
6 graded in difficulty, some of these tests. Some of them are
7 specific to types of function in neural areas.

8 So why is it that ADAS-Cog seems to be so stolidly
9 stuck there for all dementia trials when, actually, it may
10 not be the test of choice, or the test battery of choice. I
11 would like to know how the FDA stands on this test.

12 DR. KAWAS: In that case, we will let Dr. Katz
13 answer and the rest of us are going to be very quiet.

14 DR. KATZ: I think we have no stance on its use in
15 so-called vascular dementia. We have taken a position sort
16 of by tradition about its use in Alzheimer's disease
17 because, presumably, it is validated in Alzheimer's disease.

18 But what it does in patients with this entity, I
19 don't know. The point is, when it comes to picking a test
20 and requiring it--and, by the way, we don't require the
21 ADAS-Cog for Alzheimer's drugs; it is just that everybody is
22 using it, presumably because experts in the field think that
23 it has some relevance to the condition.

24 We are asking the few experts who are left here
25 today what they think. We are just listening. We haven't

1 taken a position.

2 DR. GORELICK: Just very quickly, I think by
3 virtue of the many, many times these instruments have been
4 used in the Alzheimer's trials that they are going to spill
5 into the vascular-dementia trials and we are going to have a
6 little more confidence in them, and that is why we are using
7 them. If we had to start from scratch to start developing
8 instruments, it would take a long time and we want something
9 that is easy to apply, or relatively easy to apply, and that
10 we know a lot about its usage.

11 DR. KAWAS: Well said.

12 Any final comments from the panel before we
13 adjourn?

14 DR. CHUI: Just a small point. The ADAS-Cog
15 reminds me of the Fulstein MSSE. Why is the Fulstein MSSE
16 shown all over the world? It is not the best test as it was
17 written on a napkin, I understand, at the very beginning.
18 But it has become a familiar dinner paraphernalia.

19 DR. GORELICK: Just a final comment. I am
20 creeping further and further upstream as I hear more and
21 more.

22 DR. KAWAS: Primary prevention; definitely.

23 It has been a very interesting discussion for me
24 and I want to thank all of the panelists and the invited
25 speakers and the committee members and the FDA and,

1 particularly, Dr. Titus and Dr. Mani and the audience.

2 This meeting is adjourned.

3 [Whereupon, at 2:45 p.m., the meeting was
4 adjourned.]

5

- - -